

Archived Content

Information identified as archived on the Web is for reference, research or recordkeeping purposes. It has not been altered or updated after the date of archiving. Web pages that are archived on the Web are not subject to the Government of Canada Web Standards. As per the [Communications Policy of the Government of Canada](#), you can request alternate formats by [contacting us](#).

Contenu archivé

L'information archivée sur le Web est disponible à des fins de consultation, de recherche ou de tenue de dossiers seulement. Elle n'a été ni modifiée ni mise à jour depuis sa date d'archivage. Les pages archivées sur le Web ne sont pas assujetties aux normes Web du gouvernement du Canada. Conformément à la [Politique de communication du gouvernement du Canada](#), vous pouvez obtenir cette information dans un format de rechange en [communiquant avec nous](#).

IN THE ARBITRATION UNDER THE ARBITRATION RULES OF THE UNITED
NATIONS COMMISSION ON INTERNATIONAL TRADE LAW
AND
THE NORTH AMERICAN FREE TRADE AGREEMENT

----- -x
:
In the Matter of an Arbitration :
Between: :
:
CHEMTURA CORPORATION :
(formerly Crompton Corporation), :
:
Claimant/Investor, :
:
and :
:
THE GOVERNMENT OF CANADA, :
:
Respondent/Party. :
:
----- -x Volume 3

HEARING ON THE MERITS

Friday, September 4, 2009

Government Conference Centre
2 Rideau Street
Centennial Conference Room
Ottawa, Ontario

The hearing in the above-entitled matter came on,
pursuant to notice, at 9:05 a.m. before:

PROF. GABRIELLE KAUFMANN-KOHLER, Presiding Arbitrator

THE HON. CHARLES N. BROWER, Arbitrator

PROF. JAMES R. CRAWFORD, Arbitrator

Secretary to the Tribunal:

DR. JORGE E. VINUALES

Court Reporter:

MR. DAVID A. KASDAN,
Registered Diplomate Reporter (RDR)
Certified Realtime Reporter (CRR)
Worldwide Reporting, LLP
529 14th Street, S.E.
Washington, D.C. 20003
+1 202 544 1903
worldwide.reporting@verizon.net

APPEARANCES:

On behalf of the Claimant/Investor:

MR. GREGORY O. SOMERS
MR. BENJAMIN P. BEDARD
MS. ALISON FITZGERALD
MS. RENÉE THÉRIAULT
Ogilvy Renault, LLP
45 O'Connor Street, Suite 1600
Ottawa, ON K1P 1A4
(613) 780-8661

APPEARANCES: (Continued)

On behalf of the Respondent:

MR. CHRISTOPHE DOUAIRE de BONDY
MR. STEPHEN KURELEK
MS. YASMIN SHAKER
MS. CHRISTINA BEHARRY
MS. CAROLYN ELLIOTT-MAGWOOD
MS. SYLVIE TABET
MR. MARK LUZ
MS. CELINE M. LEVESQUE
Department of Foreign Affairs
and International Trade, Canada
Trade Law Bureau (JLT)
Lester B. Pearson Building
125 Sussex Drive
Ottawa, Ontario K1A 0G2
Canada
(613) 944-0027

C O N T E N T S

WITNESSES:	PAGE
PETER CHAN	
Direct examination by Mr. Kurelek	543
Cross-examination by Mr. Somers	533
JOHN WORGAN	
Direct examination by Mr. Kurelek	562
Cross-examination by Mr. Somers	562
Questions from the Tribunal	647
Redirect examination by Mr. Kurelek	659
TONY ZATYLYNY	
Direct examination by Ms. Shaker	665
Cross-examination by Mr. Bedard	670
Redirect examination by Mr. Douaire de Bondy	719
Questions from the Tribunal	723
JOANNE BUTH	
Direct examination by Mr. Douaire de Bondy	738
Cross-examination by Mr. Bedard	739
Redirect examination by Mr. Douaire de Bondy	762
Questions from the Tribunal	765

1 P R O C E E D I N G S

2 PRESIDENT KAUFMANN-KOHLER: So, now we can start.

3 PETER CHAN, RESPONDENT'S WITNESS, CALLED

4 PRESIDENT KAUFMANN-KOHLER: Good morning, Mr. Chan.

5 For the record, can you please confirm that you're
6 Peter Chan.

7 THE WITNESS: Yes, I am.

8 PRESIDENT KAUFMANN-KOHLER: You're Director General of
9 the House Evaluation Directorate of the PMRA.

10 THE WITNESS: Yes.

11 PRESIDENT KAUFMANN-KOHLER: And before that--you've
12 held that since 2006, and before that you've held other
13 positions at Health Canada; is that right?

14 THE WITNESS: That's correct.

15 PRESIDENT KAUFMANN-KOHLER: Thank you.

16 You have given one Witness Statement in this
17 arbitration?

18 THE WITNESS: Yes.

19 PRESIDENT KAUFMANN-KOHLER: And as a witness, you're
20 under a duty to tell us the truth. I would like to ask you to
21 confirm this by reading the Witness Declaration, please.

22 THE WITNESS: Okay. I am aware that in my examination
23 I must tell the truth.

24 I'm also aware that any false testimony may produce
25 severe legal consequences for me.

09:06 1 PRESIDENT KAUFMANN-KOHLER: Thank you.
2 You know how we will proceed. You will be asked a few
3 introductory questions by Canada's counsel, and then we will
4 turn to Chemtura's counsel for cross-examination.
5 THE WITNESS: Okay.
6 PRESIDENT KAUFMANN-KOHLER: Mr. Kurelek.
7 DIRECT EXAMINATION
8 BY MR. KURELEK:
9 Q. Good morning, Dr. Chan. I only have only one question
10 for you.
11 Do you adopt and affirm the contents of your one slim
12 Affidavit?
13 A. Yes, I do.
14 Q. Thank you.
15 PRESIDENT KAUFMANN-KOHLER: That was fast.
16 Then I turn to Mr. Somers?
17 MR. SOMERS: Thank you, Madam Chair.
18 CROSS-EXAMINATION
19 BY MR. SOMERS:
20 Q. Good morning, Mr. Chan.
21 A. Good morning.
22 Q. I'm Greg Somers, and I'm asking you some questions
23 this morning on behalf of Chemtura Corporation.
24 A. Great.
25 Q. I'm going to be referring to your confidential

09:07 1 Affidavit in my questions, and you have that with you?

2 A. Yes.

3 Q. Thank you.

4 Would it be fair to say that the purpose of your
5 Affidavit is to establish that--and I'm looking at your
6 Paragraph 6 there in your Affidavit, so I'd ask you to turn to
7 that. You say, "Based on the information available to me, I
8 know that Chemtura's allegation is without substance because
9 with only one minor exception, none of the PMRA scientists and
10 managers who worked on the REN were the same as those
11 scientists and managers who worked on the Special Review."

12 Is it fair to say that that's part of the purpose at
13 least or the purpose, the main purpose of your Affidavit in
14 these proceedings?

15 A. Well, yes, that's one of them. My understanding is
16 that I'm here for two purpose. One is to explain the
17 composition of the two teams involved in the Special Review and
18 the REN, and the other was the opportunity to clarify the role
19 of my colleagues, John Worgan, with regards to this process.

20 Q. Okay. Thank you.

21 In Paragraph 9 of your Affidavit, you state that--and
22 again I'm looking at that paragraph--"Again based on the
23 information available to me on how the Lindane Special Review
24 and REN processes worked, I can confirm that, while he did peer
25 review and sign off on the Health Evaluation Division's Risk

09:08 1 Assessment in the Special Review, Mr. Worgan was not
2 responsible for approval of the scientific Risk Assessments
3 described in the REN because by that point, he had been
4 appointed to the more managerial position of Director General
5 of the PMRA's Reevaluation Management Directorate."

6 In his role as Director General of the PMRA's
7 Reevaluation Management Directorate, I'm interested in
8 understanding what authority he had or, in fact, continues to
9 have over the REN over lindane.

10 A. Okay. So, I'm going to explain then maybe perhaps
11 take you a little bit--give you a bit of background of how the
12 REN process worked as far as the evaluation process is
13 concerned within the Health Evaluation Directorate. So--

14 Q. I'm sorry, just to interrupt you a little bit. If you
15 could focus very much on Mr. Worgan's role--

16 A. He basically have limited role. He has--

17 Q. I'm interested if you could help me in focusing on
18 Mr. Worgan's role in the--that more managerial position you
19 describe in terms of the REN supervision.

20 A. He basically had very limited role with regards to the
21 conducting of the risk assessment in the REN process, so he was
22 only in the managerial coordination role as the Director
23 General for the re-evaluation management coordination role in
24 that process.

25 So, his other perhaps linkage is that we--all the

09:10 1 managers--all the Director Generals sit at the Science
2 Management Committee. When we look at all the Risk Assessment
3 that come forward to the Science Management Committee, that's
4 when we look at the Risk Assessment and make decisions on
5 supporting the Risk Assessment coming out from the evaluation,
6 the various evaluation Directorates.

7 Q. Okay. You have attached to your Affidavit at Tab PC-1
8 the Terms of Reference of the Science Management Committee.
9 It's Exhibit PC-1 in these proceedings. And I'm looking at the
10 first part of the terms of that Terms of Reference document,
11 and it says "Mandate. The primary role"--before I actually go
12 into that, could you turn to Page 2 of the document. And under
13 membership, it says, and this is membership of the Science
14 Management Committee, "The SMC will comprise the Chief
15 Registrar as Chair, and DGs of contributing directorates;
16 i.e.," and then the third DG there is the DG Reevaluation
17 Management Directorate. That's Mr. Worgan; right? At the
18 current time?

19 A. Yes.

20 Q. Okay. So, now I'm going back to the beginning of that
21 document, the mandate of the Committee. "The primary role of
22 the Science Management Committee," it says, "will be to discuss
23 and work to arrive at consensus decisions on significant
24 registration applications, new actives, major new uses, and
25 conversions to full, Special Reviews, emergency registrations,

09:12 1 and reevaluations of Pest Control Products."

2 So, the re-evaluation of lindane is part of the
3 Science Management Committee's mandate, isn't it?

4 A. Yes. It's no difference than any other submissions
5 that go through the process within the Agency.

6 Q. Right.

7 A. Where there is for premarket request for authorization
8 to go to market or re-evaluation submission of the existing
9 chemicals, so there is no difference in any of the overall
10 process.

11 Q. The second bullet as far as their mandate goes says,
12 "discuss and make decisions on science." So, the Committee has
13 a role in discussing and making decisions on science as well.
14 It's not merely administrative or scheduling or anything or
15 those sorts of thing, although those appear to be part of it as
16 well, and process management and related policy issues under
17 that bullet. Is that correct?

18 A. That's correct.

19 The decisions, okay, on the science, the first part of
20 the decision that move forward to the SMC actually comes from
21 the evaluating Directorate. For example, in this case, it
22 could be Health Evaluation Directorate and Environmental
23 Assessment Directorate in this case.

24 Q. Or the Reevaluation Management Directorate?

25 A. No, because in this case, John is not involved in the

09:13 1 re-evaluation process of the--during the REN process, so the
2 health evaluation component of the submission came from the
3 Health Evaluation Directorate. So, the science Risk Assessment
4 of the health evaluation component of the lindane REN process,
5 John was not involved. It came from the Health Evaluation
6 Directorate scientists that conduct the Risk Assessment and
7 came forward with that recommendation that a science decision.

8 Q. But at some point when the REN is in preparation or
9 concluded, it goes to the Science Management Committee, does it
10 not?

11 A. It's at the end of the evaluation process.

12 Q. Right.

13 A. When the health evaluation scientists came to a
14 conclusion or a decision at the time from the health
15 perspective or from the Environmental Assessment perspective.
16 They will then combine and go through the Science Management
17 Committee.

18 During that process of the evaluation process to the
19 Science Management Committee process, if I understand your
20 question correctly, John was not involved in that process.

21 Q. No--well, that wasn't my question, but your expansion
22 is helpful.

23 At the conclusion of the REN process, it would go to
24 the Science Management Committee, I will just come back to
25 that, all right, and the Science Management Committee operates

09:15 1 on consensus, so any of the individuals who comprise that
2 Committee can prevent the approval of Re-evaluation Decision;
3 isn't that right?

4 A. Possible, yes.

5 Q. Okay. The third role of the Science Management
6 Committee is to ensure that all registration decisions
7 integrate value, health, and environmental risks and are based
8 on risk management principles including compliance
9 considerations. The fourth is to set priorities for
10 registration and re-evaluation activities, for example, make
11 decisions on expedited reviews, on deviations to submission
12 management policy. So, the Committee in this regard has the
13 authority to alter or not alter the policy that's applied to
14 submissions made to the PMRA; isn't that so?

15 A. If you can clarify for me what you meant by changing
16 the policy or altering the policy.

17 Q. All right. It says deviations here, and I'm wondering
18 if that means changes or alterations.

19 A. No. We--the SMC is there to make sure that any
20 decision that come out from the Agency follow existing policy,
21 and that is consistent across the Board. And so if there is
22 any deviation from any current policy or practices, that's the
23 Committee's role to identify those and make sure that we are
24 consistent.

25 And if these lead to any further changes or potential

09:16 1 changes to our existing practices and policy, this may be the
2 Committee that can make that kind of recommendation back to
3 whichever area that needs to address that potential changes.

4 So, really, the SMC, they don't just change the policy
5 during the meeting or anything of that nature. They just want
6 to ensure when the decision coming up from the evaluation
7 Directorates are consistent with the current practice, and that
8 there is a consistency in the decision making within the
9 Agency.

10 Q. No doubt that's part of their role, but that's not how
11 I read it here where it says, for example, "make decisions on
12 expedited reviews, on deviations to submission management
13 policy." They make decisions. They don't make
14 recommendations.

15 A. That's correct. They make decision on the final
16 outcome of that, but they do make recommendation if they say
17 there is something that is not consistent. Let's say health
18 evaluation Directorate as an example. We may be conducting an
19 assessment and then we will submit it to the Science Management
20 Committee. They will look at the process to ensure that the
21 decisions that were made have considered all the criteria and
22 all the--take into consideration all the policies that occur
23 and are in place.

24 Q. I understand that, but as far as their mandate goes in
25 their terms of reference, they're also empowered to make

09:18 1 decisions?

2 A. They are.

3 Q. Thank you.

4 A. They are.

5 Q. On scope of issues to be addressed, that's heading two
6 of the Terms of Reference, it says, "Issues to be addressed
7 would normally include product and active-related issues,
8 including labeling such as Category A submissions,
9 reevaluations."

10 Again, so the lindane reevaluation would fall under
11 the issues to be addressed by the Committee, wouldn't it?

12 A. Yes.

13 Q. Further on in that paragraph, it states--well, I'll
14 read it so that there's continuity, but I'm focusing on that
15 part in the second last line which says, "New approaches to
16 Risk Assessment," so it says, "Issues to be addressed would
17 normally include product and active-related issues, including
18 labeling such as category submissions, re-evaluations, and
19 Special Reviews, minor use issues, submissions with TSMP
20 concerns, submissions with specific issues including compliance
21 considerations and issues, necessary exceptions to the
22 management of submissions policy, and new approaches to Risk
23 Assessments."

24 So, those include--that's part of the issues that the
25 SMC is empowered to make decisions on and address; is that

09:19 1 fair?

2 A. The--usually especially--well, if we are referring to
3 new approaches to Risk Assessment, the process usually is that
4 we will present that to SMC to go through what would they do,
5 if there is any changes, for example, on policy and conducting
6 Risk Assessment usually will go through the SMC for their
7 recommendation and decision to say, yes, this is the policy
8 from here on that we will adopt.

9 Q. Or they can direct a new approach to Risk Assessment,
10 is how I read that section of their Terms of Reference.

11 A. Maybe if I can clarify that.

12 Q. Sure.

13 A. They don't--normally the SMC prefaces that they don't
14 direct, quote-unquote, direct specifically in that sense. They
15 will say, have you considered this and that, and then the
16 evaluating Directorates will take that suggestions or
17 recommendation to go back to come up with what is perhaps to
18 say the same decision or revised decision, take into
19 consideration of those recommendations, and we will go back to
20 SMC, the Science Management Committee, one more time before
21 they make that final decision.

22 Q. Thank you.

23 A. Okay.

24 Q. And I'm going to the heading of the Terms of Reference
25 under decision making, number four, and at the last line of

09:21 1 that page, it says, "The DG of the Reevaluation Management
2 Directorate and the Chief Registrar will participate in all
3 science discussions together with other science Directorates."

4 Now, that DG is again that they are referring to there
5 is currently again Mr. Worgan, isn't it?

6 A. Yes.

7 But if we read on--

8 Q. Yes. It says, "However, REMD, and could you help me
9 who that is, reevaluation"--

10 A. That's the Evaluation Management Directorate.

11 Q. "Will refrain from participating in any final
12 registration decisions regarding new actives' major new uses.
13 But the lindane re-evaluation is not a final registration
14 decision, is it? It's a re-evaluation.

15 A. It's a re-evaluation.

16 Q. It's not one of those. So, REMD doesn't have to
17 refrain from participating in that. The Chief Registrar does
18 because it says, "and the Chief Registrar will refrain from
19 participating in any final decisions regarding reevaluations or
20 special reviews." So the Chief Registrar has to refrain from
21 participating in final decisions regarding re-evaluations, but
22 the REMD does not?

23 A. Right.

24 Q. The REMD will and can or at least can participate. In
25 fact will participate I believe it says.

09:22 1 A. Right, but the decision, the decision as you mentioned
2 earlier, is based on consensus of the Committee, so the reason
3 for this role, maybe if I can clarify--

4 Q. Please.

5 A. --it's because just in case if there is a decision
6 made at SMC that are related to a re-evaluation process, then
7 the Chief Registrar becomes the second level of a process to
8 conduct that peer review from that process. So, try to
9 separate the role from re-evaluation and premarket.

10 So, then, therefore, if there is a next level of
11 discussion, those people were not, quote-unquote, directly
12 involved in that decision. That's what they refrain from means
13 applied to that, so it's have a cross process so the CRO, the
14 Chief Registrar Office, and the Coordinator, the DG of the
15 Reevaluation Management Directorate, they sort of cross,
16 oversight the decision coming up from the different--real
17 stream.

18 Q. I appreciate that distinction.

19 And so, for the Chief Registrar, it's do not
20 participate in reevaluations. For the REMD, it's do not
21 participate in final registration decisions?

22 A. They do participate in the sense of a
23 contributing--they are a member of the Science Management
24 Committee; right? So, what they say in here, "will refrain
25 from participating in any final registration decisions

09:24 1 regarding new actives or refrain from participating in any
2 final decision regarding re-evaluation,' so I would like to
3 emphasizes word final.

4 Q. Yes, and I appreciate that distinction.

5 As far as the lindane reevaluation, though, Mr. Worgan
6 could participate both in the preliminary and the interim and
7 the continuing re-evaluation of lindane as well as the final
8 decision on lindane; isn't that right?

9 A. No. Maybe if I want to clarify that one more time.

10 With the Health Evaluation Directorate who conducted
11 the Risk Assessment, Mr. John Worgan was not involved in that
12 process, okay.

13 Q. Fair enough.

14 A. So, that's the very first stage of the evaluation
15 process. So, Mr. Worgan, my colleague, was not involved in
16 that. So when Health Evaluation Directorate made that
17 decision, quote-unquote, or recommendation on that decision
18 from Health Evaluation Directorate, it goes to the Science
19 Management Committee.

20 Q. Right.

21 A. That is when all the DGs within the Agency will
22 participate at the Science Management Committee to discuss that
23 recommendation whether it's coming out from Health Evaluation
24 Directorate or Environmental Assessment Directorate.

25 The final decision, okay, John will refrain from

09:25 1 making that for the--when he assess refrain from participating
2 the final decision regarding re-evaluation, the Chief Registrar
3 will, and John will refrain from participating in any final
4 registration decisions for new actives. That's premarket
5 authorization. So, they refrain from making that decision, but
6 they participate as a member because part of the mandate, part
7 of the consensus building is for all the Director Generals that
8 are members in this Committee to come to that consensus.

9 Q. I appreciate that distinction.

10 A. So, in that sense, may I summarize in that sense that
11 John was not involved in the conducting of the REN evaluation
12 process, nor any of those scientific contribution to that
13 process until he is a member of the SMC.

14 Q. Right. Right.

15 And in that capacity, he will or did definitely,
16 according to the Terms of Reference, participate in the
17 discussions and in the final decision?

18 A. Right. He will be bringing his history and his--well,
19 not the history, sorry. He will be bringing his expertise as
20 any other submissions that comes in for REN from whether it is
21 from the premarket authorization request or for re-evaluation
22 decision.

23 So, all the Director Generals are involved in the same
24 capacity at the SMC. So, that's the way--and we just tried to
25 have that discussion in SMC to make sure that the decision are

09:26 1 consistent throughout from this perspective of whether it's the
2 health, or environment of value or reevaluation with some of
3 the existing chemicals that may link to another chemical. We
4 brought that integrated sort of thinking into making decisions.

5 Q. And you've said several times and in your statement at
6 Paragraph 10, you also state his role was instead--I'm looking
7 at the last sentence in Paragraph 10--"was limited, instead
8 limited to reviewing the consolidated Report for accuracy and
9 consistency." But, in fact, the Terms of Reference don't limit
10 him to considering the questions of accuracy and consistency.
11 They direct him to participate in all science discussions and
12 entitle him by not having to refrain, to participate in final
13 decisions regarding re-evaluations, and it's not limited to
14 just consistency, is it?

15 A. No. In this case, it was considered to be at the
16 consolidated Report for accuracy and consistency, and as I
17 mentioned before, the role of the SMC is to ensure this
18 consistency in our decision making for any of the chemical
19 whether it is for premarket assessment, registration, or for
20 re-evaluation. So, in his role, consistency is part of the
21 mandate for the SMC--

22 Q. I understand.

23 A. --and that consistency would involve, whether it is
24 dealing with existing policy, existing practice, existing
25 science, all that. So, that's the way of the accuracy and

09:28 1 consistency of the consolidated Report. That's really a
2 condensed version of the role of the SMC.

3 Q. Can you point to me where any words like accuracy and
4 consistency arise in the Terms of Reference? I can't find
5 those terms there.

6 A. Well, I can't pinpoint to you the exact word that
7 reflect that. But what this is is basically is the mandate
8 that when you take--when you digest all the role in here for
9 any decision for any--I think for any government decision, it's
10 important, especially from the scientific perspective, we have
11 to make sure that the information that we put down are
12 accurate, because otherwise we are in trouble; right? So,
13 that's where the accuracy comes in.

14 And when I call for consistency, as a government point
15 of view, we have to be consistent in order to avoid,
16 quote-unquote, what people may perceive to be different
17 treatment to different companies. So, therefore we have to
18 make sure that the process is consistent. That's why we have
19 all this policy and guidelines that occur within PMRA regarding
20 the conduct of our health evaluation or environmental
21 Assessment. Those are all transparent. Those are all on the
22 Web site. So, that's just to make sure that we have those
23 things out there transparent, talk to people. And then
24 internally during the SMC, we want it make sure that we're
25 actually following all those guidelines and all those policies

09:30 1 and making sure that the decision is consistent and that the
2 information that come forward are accurate.

3 Q. Thank you very much. That was thorough.

4 And I have no more questions for you. You have been
5 very helpful. Thanks very much.

6 A. Okay. Thank you.

7 MR. SOMERS: Thank you, Madam Chair.

8 PRESIDENT KAUFMANN-KOHLER: Thank you.

9 MR. KURELEK: No questions. Thank you.

10 PRESIDENT KAUFMANN-KOHLER: No redirect questions.
11 Any questions from the Tribunal? No.

12 I have no questions either. That was very clear.
13 Thank you very much, Mr. Chan, and that closes your
14 examination.

15 THE WITNESS: Okay, thank you.

16 (Witness steps down.)

17 PRESIDENT KAUFMANN-KOHLER: Then the next witness will
18 be Mr. Worgan precisely.

19 MR. DOUAIRE de BONDY: That's right.

20 PRESIDENT KAUFMANN-KOHLER: Can we call him in and
21 just continue.

22 MR. DOUAIRE de BONDY: While we are waiting for
23 Mr. Worgan, Madam Chair, could I raise a simple point of
24 clarification. At the end of the day yesterday, we had
25 discussed the direct examinations and the length of the

09:31 1 examinations, and we don't--we propose to follow the Tribunal's
2 directions, of course, in respect to Mr. Worgan's examination.
3 We simply had a question of clarification with regard to
4 Experts, whether the same approach applied to Experts, given
5 that we thought in that case given the technical nature of the
6 evidence, it might be more useful to have a bit more Chief. We
7 are thinking in the range of perhaps 15 to 20 minutes, and also
8 given that the Claimant has not yet presented its Expert
9 Witnesses, its procedural issues that that wouldn't be
10 addressed--wouldn't be a problem.

11 PRESIDENT KAUFMANN-KOHLER: Can I--Mr. Somers, do you
12 want to express a view on this now, or we can do this later as
13 well. There is no real urgency. Maybe we can discuss it among
14 ourselves during a break, and the Tribunal will think about it.
15 It's true that often for Experts other rules are applied, and
16 it can be helpful, depending on the technical complexity of the
17 issues.

18 Can I leave this with you that between counsel you
19 would discuss this, and if you come to an agreement, then you
20 let us know, and we will think about it as well. Maybe we
21 could say early afternoon? Early afternoon today you come
22 back?

23 MR. DOUAIRE de BONDY: Certainly.

24 MR. SOMERS: That's acceptable with Claimant, too.

25 PRESIDENT KAUFMANN-KOHLER: Thank you.

09:32 1 Then I can say good morning to Mr. Worgan. Welcome.

2 JOHN WORGAN, RESPONDENT WITNESS, CALLED

3 THE WITNESS: Good morning.

4 PRESIDENT KAUFMANN-KOHLER: For the record, you are
5 John Worgan.

6 THE WITNESS: That is correct.

7 PRESIDENT KAUFMANN-KOHLER: Your current position is
8 Director General of the Reevaluation Management Directorate at
9 the PMRA.

10 THE WITNESS: Yes, that is correct.

11 PRESIDENT KAUFMANN-KOHLER: And that is a position
12 you've held since 2006.

13 THE WITNESS: Correct.

14 PRESIDENT KAUFMANN-KOHLER: And before that you held
15 other positions at PMRA.

16 THE WITNESS: Yes.

17 PRESIDENT KAUFMANN-KOHLER: You have given two Witness
18 Statements?

19 THE WITNESS: Yes.

20 PRESIDENT KAUFMANN-KOHLER: And you're heard as a
21 witness, and as a witness you are under a duty to tell us the
22 truth. Could you please confirm this by reading the Witness
23 Declaration.

24 THE WITNESS: Okay. Thank you.

25 I am aware that in my examination I must tell the

09:33 1 truth. I'm also aware that any false testimony may produce
2 severe legal consequences for me.

3 PRESIDENT KAUFMANN-KOHLER: Thank you.

4 So, then I will turn first to Canada's counsel for a
5 few introductory questions, and then, as you know, we will have
6 questions by Chemtura's counsel.

7 THE WITNESS: Right, I understand.

8 PRESIDENT KAUFMANN-KOHLER: Mr. Kurelek, you're asking
9 the questions?

10 MR. KURELEK: Thank you.

11 DIRECT EXAMINATION

12 BY MR. KURELEK:

13 Q. Mr. Worgan, can you please confirm whether you adopt
14 and affirm the contents of your two affidavits in this matter.

15 A. Yes, I do.

16 MR. KURELEK: That's my only question. Thank you.

17 PRESIDENT KAUFMANN-KOHLER: Mr. Somers, then.

18 MR. SOMERS: Thank you.

19 CROSS-EXAMINATION

20 BY MR. SOMERS:

21 Q. Hello, Mr. Worgan. I'm Greg Somers and I represent
22 Chemtura in these proceedings. I will be referring to your two
23 affidavits primarily in my questions.

24 And you have those available to you?

25 A. Yes, I do.

09:34 1 Q. Okay. Good. And I will be--I may be referring to the
2 Joint Hearing Bundle on a couple of occasions, but primarily
3 your statement.

4 Before I begin, in both your statements include a--the
5 Lindane Review Board Report. In the first statement, it's at
6 Tab J--and Exhibit Number JW-30, and you helpfully included it
7 in your second Affidavit as well because of the importance of
8 that document in the lindane story at Tab J.W. 100, so, in
9 fact, it has two exhibit numbers. And we put one in as well,
10 so it's got a third exhibit number, and then it appears in the
11 hearing bundle as well. So, this report used up a lot of
12 trees.

13 Unfortunately, the version that was filed in your
14 Affidavits and the version therefor that ended up in the
15 hearing bundle missed a page. There is a page missing.

16 Rather than having to shuffle a lot of documents
17 around, I made copies of that missing page, and I'm in the
18 Tribunal's hands on this, but I'd like to file it either as an
19 exhibit or as just an aid to cross-examination. It's Page 53
20 of the Lindane Review Board Report that comprises
21 Paragraphs 220, 221, and 222.

22 PRESIDENT KAUFMANN-KOHLER: It's in the Joint Hearing
23 Bundle; that's correct?

24 MR. SOMERS: The Joint Hearing Bundle is deficient in
25 missing this page as well. I've made a few copies of it. I'll

09:36 1 hand them to the Secretary.

2 If I could ask a copy of this page to be put--given to
3 the witness so that when we do eventually get to the Lindane
4 Review Board Report, you will have it to hand.

5 Thanks. All right.

6 BY MR. SOMERS:

7 Q. Just to start, and appropriately it's sort of in the
8 chronology of lindane issues, we will go back to 1998, and, in
9 fact, 1997. At Paragraph 23 of your first Affidavit, reference
10 is made to the LRTAP Protocol, Transboundary Air Pollution
11 Protocol.

12 A. Which paragraph?

13 Q. 23.

14 A. Oh, 23. Okay. One moment.

15 Yes, I've got that. All right.

16 Q. And that Protocol is actually included as part of your
17 Affidavit. It's Tab and Exhibit JW-10.

18 You state there, "The LRTAP Protocol restricted
19 lindane to six uses, all of which were still registered in
20 Canada in 1998."

21 And Canada supported the retention of those six uses;
22 isn't that right?

23 A. Canada agreed to put those into that list of
24 restricted uses because those were currently registered--at
25 that time they were registered in Canada, and we would not have

09:37 1 been able to agree to a ban until such time that we had done
2 like a full re-assessment of that, and that's exactly what we
3 committed to do at the Aarhus Protocol meeting.

4 Q. You said until such time as we had done a full
5 re-assessment?

6 A. Yes. We had agreed that we would do a re-assessment,
7 and then on the basis of that we would determine what, if any,
8 action was required.

9 Q. Well, suppose you had done a full re-assessment but
10 continued to permit those uses. Then you couldn't agree to a
11 ban, then, either; isn't that right?

12 A. That is correct.

13 Q. Okay. So, it's not just that you had to do a
14 re-assessment, but you had to do a re-assessment and
15 determination before you could have them include it or except
16 to include the uses in the Protocol. Is that right?

17 A. Well, we agreed to include them in the restricted uses
18 because they were registered in Canada.

19 Q. Exactly.

20 A. At that time.

21 Q. I understand.

22 A. Legally, we would not be able to, you know, have taken
23 action on those, you know, to agree to a ban in the absence of
24 like an assessment or re-assessment of those.

25 Q. You would not be able to agree to a ban in the absence

09:39 1 of an Assessment and re-assessment of those, and determination
2 because if you--isn't that right? Because if you had agreed to
3 a re-assessment and then reassessed the products and retained
4 those uses, you still could not have agreed to a ban in the
5 international forum.

6 A. If we had done a re-assessment that indicated that
7 there were risks of concern, we would have--we would proceed
8 with a cancellation of those products.

9 Q. And only at that point would you be entitled to agree
10 internationally to ban?

11 A. Well, legally, our--under the Pest Control Products
12 Act, we make risk-based, science-based decisions, and that's
13 exactly, you know--that's our role and responsibility, to
14 protect health and safety.

15 So, you know, we--when we did our re-assessment,
16 re-evaluation, we looked at the risks associated with those and
17 determined that the risks were unacceptable.

18 Q. Yeah, I understand that, but that wasn't exactly my
19 question, though.

20 A. Okay.

21 Q. But that's fine. That's helpful.

22 And if you had not, if you had--after your
23 re-assessment found that they were--the uses you were reviewing
24 remained acceptable.

25 A. It's a hypothetical situation. That wasn't the case.

09:40 1 Q. Of course, of course.

2 But, in that hypothetical situation, could you have
3 agreed to a ban, then, in the international forum?

4 A. I'm not an expert in the LRTAP Protocol.

5 Q. I see.

6 A. I couldn't confirm that one way or the other.

7 Q. As it is then, since they were still registered uses
8 in Canada in 1998, you could not agree at that time?

9 A. At that time, but we could agree, you know, to a
10 re-assessment as exactly what we did with the commitment to do
11 that within two years of signing of the Protocol.

12 Q. Thank you.

13 And you say in Paragraph 25 in the last line of it,
14 literally last line, "and Canada had made specific commitments
15 to review its use of lindane." That arose out of what you call
16 its specific commitment. It was implementing the Protocol
17 which gave rise to that commitment?

18 A. That was one of the primary drivers, yes, and all of
19 the other, you know, concerns around the health and
20 environmental impact of lindane that had been raised both
21 nationally and internationally, as you know.

22 Q. Okay. I'm turning now to Paragraph 57 of your
23 statement. In that paragraph, you discuss, and in the prior,
24 you discuss some differences between the EPA and PMRA.

25 A. Yes.

09:41 1 Q. In the second sentence, you state, "In particular, the
2 PCPA did not have provisions for using information provided by
3 one Registrant in re-evaluating another Registrant's product."
4 I'm skipping a sentence and then continuing. "By contrast, EPA
5 has its data protection provisions embedded in FIFRA and
6 relevant regulations. This policy allows EPA to use other
7 Registrant data under certain conditions, one of which is
8 monetary compensation for use of protected data."

9 In the Special Review of lindane, '99 to 2001 Special
10 Review, the PMRA could not, and that--could not, and that's
11 what this difference is between the two agencies, in this
12 regard, could not use occupational exposure data that was
13 proprietary to another company in assessing occupational
14 exposure risk; is that right?

15 A. That is correct.

16 Q. Even where the PMRA was aware that there were
17 different and potentially superior data that bore on the issue
18 that it was examining, this restriction on the PMRA, the data
19 protection policy, would prevent it from having recourse to
20 that information?

21 A. We would not be able to use these data. However, we
22 did have access to a study that was generated by Chemtura--

23 Q. Right.

24 A. --and was submitted to us for consideration, which
25 addressed the range of facilities that were in existence in

09:43 1 Canada at that time.

2 Q. Right. Okay. And we will get to that in a little
3 bit.

4 When you were--the range of facilities, as you say,
5 the seed treatment facilities, that's what we are talking
6 about--

7 A. That's correct.

8 Q. --that were in Canada at that time, in terms of
9 canola, though, were there particular types of facilities that
10 would be seed treating canola, canola seed itself that where
11 that study might not have been the appropriate one?

12 A. The study looked at a range of facilities from the
13 small all the way up to the large facilities where canola would
14 be potentially treated.

15 We also did extrapolate as required from crop to crop
16 or from seed to seed on the basis of things such as the amount
17 of product that was typically used in a day and, you know, the
18 rates of application on specific seeds.

19 Q. Now, turning to your Paragraph 66, where you discuss
20 the beginning of the REN re-evaluation note process for
21 lindane--

22 A. Yes.

23 Q. --you state there, "To demonstrate the extent of its
24 willingness to scientifically review lindane, all of these
25 other areas of the Special Review, the various categories of

09:45 1 concern--

2 A. That's right.

3 Q. --that are enumerated in prior paragraphs, were taken
4 up again by PMRA to generate its lindane Re-evaluation Note
5 (REN) in 2008. As I will explain below, this extensive
6 investigation simply provided at significant public expense
7 further additional reasons to cancel the use of lindane beyond
8 the very good reason based on occupational health that the PMRA
9 had already discovered in 2001."

10 Could I ask you to turn to Tab and Exhibit JW-61.
11 It's in the second volume of the first Affidavit. The document
12 is called Science Management Committee Briefing, and it's dated
13 August 31, 2006.

14 A. Um-hmm.

15 Q. This is a--this document appears to be, and I will ask
16 you for your confirmation or correction, a deliberation on
17 whether to follow the recommendations of the Lindane Review
18 Board in scientifically reviewing lindane, if I could use your
19 Affidavit.

20 A. No, actually what it was is that as you can see in the
21 third paragraph, EPA had released their Addendum to the RED in
22 August of 2006. They had identified that the risks outweighed
23 the benefits and proposed that they--you know, said that they
24 were no longer eligible for re-registration.

25 So, the reason we had this discussion is we wanted to

09:47 1 know whether or not we should look at option number two,
2 whereby we would inquire with the Registrants--that would
3 include Chemtura--about their intention of doing something
4 similar in Canada because we realized that they had voluntarily
5 agreed to cancellation of their products in the U.S., in light
6 of the significant concerns that had been raised in the
7 Addendum to the RED.

8 Q. Oh, okay. Okay.

9 And, indeed, at the beginning of that document you
10 say, "In response to the recommendations of the Lindane Review
11 Board, the PMRA has initiated a follow-up review of lindane."

12 A. Yes. So, it's to see whether or not the Registrants
13 were still, you know, interested in continuing in Canada when
14 we became aware of this decision in the U.S.

15 Q. Right.

16 Could I ask you, don't turn the page, but I'm now
17 having to go to another document, and it's in the Joint Hearing
18 Bundle at Tab 280. It's actually--

19 A. Do I have that here?

20 Q. I'm sorry, Volume 10 of the Joint Hearing Bundle,
21 Tab 280.

22 A. Okay.

23 Q. This is entitled "Memorandum to the Associate Deputy
24 Minister Lindane Board of Review. Issue Health Canada's
25 Response to the Report of the Lindane Board of Review."

09:48 1 A. Right.

2 Q. Were you involved in the preparation of this document?

3 A. I believe I had some involvement in that I probably
4 did a review of the version, the final version, but I believe
5 it was drafted by the Executive Director or somebody in her
6 office at the time, but I know that I did see this document
7 before it was sent off.

8 Q. Okay. I guess what I'm trying to explore is the
9 decision by PMRA to initiate the re-evaluation following
10 issuance of the Lindane Board of Review Report because
11 obviously--I shouldn't say obviously--because the Lindane Board
12 of Review recommended. It didn't mandate or obligate PMRA to
13 conduct a reevaluation.

14 I'm suggesting to you or asking you to confirm that
15 the reason that the PMRA decided to conduct a re-evaluation was
16 because of these proceedings?

17 A. Which proceedings?

18 Q. The proceedings that I'm asking you these questions in
19 right now. It says here on this document, "The timing and
20 substance of the response," in the middle paragraph. Do you
21 see that?

22 A. Right.

23 Q. "Of the response to the Review Board Report could have
24 impact on a NAFTA Claim." That's us here today.

25 A. I think, you know, that was a consideration that would

09:50 1 be addressed at this particular very senior level of Health
2 Canada, but the--you know, we had asked the Board of Review for
3 recommendations. We received some recommendations that we took
4 very seriously, and we decided that it would be--that we would
5 actually, you know, undertake a follow-up review in light of
6 that.

7 So, you know, we had those recommendations. We looked
8 at them. We'd asked for the advice. We took them seriously
9 and implemented them. So, that was really the motivator here.

10 You know, this is, you know, just background
11 information basically with respect to considerations.

12 Q. Right. Okay. Thank you for that.

13 I'm going back to Exhibit JW-61.

14 A. JW-61? Okay.

15 Q. That's the Science Management Committee.

16 A. Right.

17 Q. On the second page of that. It states in the third
18 paragraph on that page, "The PMRA has consulted with the Trade
19 Law Bureau and legal counsel to assess the impact that the next
20 steps of re-evaluation could have on the Registrant claims to
21 the Federal Court and the NAFTA Tribunal. The recommendation
22 of both the Trade Law Bureau and Justice Canada is to complete
23 the Assessment of Lindane."

24 Now, it seems to me if they had to recommend to you
25 complete something, that there was some question as to whether

09:51 1 you would complete something.

2 A. No. Actually, you know, as we state here, the intent
3 was to inquire with Chemtura and the other Registrants to see
4 whether or not they were interested in pursuing reinstatement
5 of products in Canada. If they were not, in light of, you
6 know, the decision in the U.S., then, you know, there would be
7 no need to proceed. It was just that, you know, given that
8 this had happened in the U.S., we wanted to inquire with
9 respect to, you know, what the intentions were of Chemtura.

10 Q. I appreciate that, and there was a reference to that
11 on the prior page, but here it says the recommendation is to
12 complete the Assessment, period.

13 A. Yes. But we were not at that time, you know,
14 intending on, you know, stopping the re-assessment that
15 eventually was finalized in the REN. It was just in light of
16 this decision in the U.S., we said, well, you know, maybe we
17 should phone Chemtura and find out what their intentions are.
18 That was the only reason why we had this discussion at the
19 Science Management Committee.

20 Q. Oh, because this gives a different reason in the next
21 sentence where it says, "This would clarify/substantiate the
22 position taken by the PMRA in 2001." That presumably is the
23 Special Review. "And support the government's position in
24 Court."

25 That seems to be the reason that you're giving here to

09:53 1 complete the Assessment.

2 A. No. The Assessments are, you know, done, you know, to
3 determine the acceptability in terms of risk, both health and
4 environmental risk of products, and that's really the basis for
5 continuation of the review. It wasn't, you know, to, you know,
6 support our government position in Court. We had committed--we
7 had committed to, you know, undertake a follow-up review, and
8 that's something that we were pursuing. In light of this
9 decision in the U.S., we were just going to inquire with
10 respect to what the intentions were of the Registrant. And we
11 decided on the basis of a very brief discussion that, no, we
12 will obviously, you know, continue and finalize the review.

13 Q. I'm going back to your first Affidavit at
14 Paragraph 70.

15 A. Right.

16 Q. And there you describe a policy decision you've made
17 where you state, "The policy decision I have described to rely
18 as much as possible on EPA's already extensive Data Call-Ins
19 would have made a standard Data Call-In exercise by PMRA
20 redundant."

21 A. That's correct.

22 Q. Now, I suppose it would have been redundant if you'd
23 received identical or comparable information from a call-in as
24 you would from the EPA's database. But in the cases we talked
25 about a minute ago about the occupational exposure study from

09:55 1 1992 that you relied on--

2 A. Right.

3 Q. --and given that you were aware of the more up-to-date
4 Helix occupational exposure study, it would not have been in
5 that case redundant, would it, to have issued a call-in for a
6 better study? I know you can't use the Helix study because of
7 our restrictions, but--

8 A. Right.

9 Q. --I ask you to confirm that that wouldn't have been
10 redundant.

11 A. In the case of the Special Review, the exposure
12 assessors did take a look at the Helix Assessment at that time
13 and did a quick calculation to see whether or not under those
14 very strict conditions of use that only existed in a very
15 limited number of plants in Canada, would we achieve acceptable
16 risk from an occupational point of view, and the response was,
17 no, that it did not. However, we would not be able to, you
18 know, use that to support registration.

19 But an examination of that data was looked at, so, you
20 know, to--even if we had access to that data, it would have
21 resulted in unacceptable risk for the Assessment.

22 Q. And that's because of the risk factors that the PMRA
23 determined in the Special Review?

24 A. That would be one of the considerations, yes.

25 Q. If the risk factor had changed and the Helix study or

09:56 1 a study that was--reflected the same practices as the Helix
2 study had been used--

3 A. Possibly.

4 Q. Possibly.

5 A. Theoretically.

6 Q. The outcome might have been different?

7 A. Yes, you know.

8 Q. Turning to Paragraph 82 of--

9 A. Okay, 82?

10 Q. Of your first Affidavit.

11 There you say, "The Special Review case is exactly the
12 opposite of a registration of a new product. While a special
13 review is delayed by further Registrant submissions, the
14 product remains in use and continues to be a potential threat."

15 A. Right.

16 Q. "Indeed, the sort of endless regress of data
17 submissions by the Registrant is exactly what we've experienced
18 in the most recent--I'm sorry--"in the recent reevalatuion
19 process concerning lindane."

20 A. That's correct.

21 Q. Obviously--I've got to stop saying that. Nothing is
22 obvious here.

23 You're not saying that the Special Review was delayed
24 by further Registrants' submissions, are you, or by significant
25 Registrant submissions of any kind?

09:58 1 A. No, no, because we had, as you'd mentioned, Mr. King.
2 We were relying on the Data Call-In from the U.S. EPA, and the
3 data that was available to us in the public literature as well
4 as the data that would be available in-house to us.

5 Q. So, there was no endless regress in that case?

6 A. Not in this specific case, no. That's because we were
7 relying on Data Call-Ins that had been generated for other
8 regulatory agencies.

9 Q. And further to that, in the re-evaluation process,
10 there was no risk that you identify in that sentence where the
11 product remains in use and continues to be a potential threat.
12 You had terminated them years before.

13 A. That is correct.

14 Q. So, there was no danger of the sort that's identified
15 here?

16 A. Right, that's correct.

17 Q. Okay, thank you.

18 Going to Paragraph 103 of your first Affidavit, there
19 you state, "In all of these Assessments the PMRA must determine
20 the appropriate margin of safety to be applied when evaluating
21 evidence."

22 A. Right.

23 Q. Section 20 of the PCPA 2002 specifies that in
24 determining appropriate actions during a reevaluation or
25 Special Review, the precautionary principle must be taken into

09:59 1 account."

2 Now, can you point me to where in the Special Review
3 decision you took the precautionary principle into account?

4 A. That was prior to the coming into force of the Pest
5 Control Products Act. That was not until 2006, that, you know,
6 it came in force.

7 Q. So, the precautionary principle was not applied in the
8 case of the special--

9 A. No, all of our Assessments, you know, are very
10 precautionary in nature.

11 Q. Do you mean conservative?

12 A. We--no, are health-protective and protective of the
13 environment. It is our mandate, you know, to protect the
14 health of Canadians and Canada's environment.

15 Q. Right. I appreciate that.

16 But the precautionary principle, though, is a specific
17 principle. It means more than just cautious, let's say.

18 A. Right.

19 Q. In the Special Review was the precautionary principle
20 as that term is understood by you used?

21 A. In the Special Review, we did not use that terminology
22 because the new act was not yet in force. However, we took,
23 you know, obviously a precautionary approach, as we do in all
24 of our Risk Assessments, as do all our regulatory agencies.

25 Q. Did the precautionary principle come to bear on the

10:01 1 decision in the Re-evaluation Note, the REN?

2 A. Again, you know, we obviously, you know, do apply
3 precaution in all of the Assessments that we do, and, you know,
4 where there are threats of serious or irreversible damage, you
5 know, we will not, you know, allow, you know, lack of
6 certainty, you know, to prevent the implementation of
7 mitigation measures. But in this case lindane was not
8 registered, so, you know, there was no need to implement
9 regulatory action to address those uncertainties. Our approach
10 is obviously precautionary in all cases. It's the role and
11 responsibility.

12 Q. The precautionary principle is not cited in the REN?
13 It's not mentioned. It doesn't enter into the deliberations
14 and the decision per se anyway.

15 A. I don't believe so.

16 Q. Okay. Is there anything in your governing legislation
17 that directs you to follow the precautionary principle?

18 A. Could you repeat the question again, please.

19 Q. Are you compelled by your legislation, the Pest
20 Control Products Act, the Regulations to apply the
21 precautionary principle?

22 A. In the new Pest Control Products Act, you know, we
23 will take that in or we will take, you know, precaution into
24 account, but, you know, in fact, we go beyond the precautionary
25 principle because only products that meet acceptable standards

10:02 1 are allowed for registration in Canada.

2 Q. Okay. You go beyond?

3 A. We do, yes. We have a precautionary approach.

4 But in this particular case in the re-evaluation, as I
5 mentioned, you know, there was no registrations in Canada, so
6 there was no threat of serious or irreversible damage at that
7 time, so we did not need to undertake, you know, mitigation in
8 concordance with the precautionary principle.

9 Q. And that's consistent with the definition of the
10 precautionary principle at Paragraph 103.

11 A. Yes.

12 Q. It's not in your statute, but is this a policy
13 decision to apply the precautionary principle?

14 A. It's in the Pest Control Products Act, as mentioned
15 here in Section 20.

16 Q. And just for the record, I will define it and say the
17 precautionary principle states that where there are threats of
18 serious or irreversible damage, lack of full scientific
19 certainty shall be not be used use a reason for postponing
20 cost-effective measures to prevent adverse health impact or
21 environmental degradation.

22 A. But there was no need to invoke this precautionary
23 principle because there were no registrations in Canada.

24 Q. Right.

25 And at the time that those registrations were

10:03 1 terminated, the precautionary principle wasn't in force against
2 PMRA?

3 A. The Pest Control Products Act was not yet in force.

4 Q. But had predecessor legislation?

5 A. Yes.

6 Q. That did not--

7 A. Specifically, no, it did not mention the precautionary
8 principle.

9 Q. Okay. I'm going ahead to Section 3 which begins at
10 Paragraph 110 of your first Affidavit.

11 A. Right.

12 Q. And in there you talk about the initiation, I guess,
13 of the Special Review.

14 A. Right.

15 Q. In Paragraph 111 in the second sentence, you say, "We,
16 being the PMRA's, exposure to re-evaluation section, were
17 simply focusing on the scientific question of whether lindane
18 was safe for continued use."

19 Who directed you or the re-evaluation section to
20 launch the Special Review?

21 A. That was an Agency decision.

22 Q. Can you be more specific. Where in the Agency are
23 those decisions made?

24 A. I am not familiar with all of the details on that, but
25 we initiated this because of the issues around lindane. There

10:05 1 had been a number of health and environmental concerns that had
2 been raised over the years from the 1970s all the way through
3 to the 1999s (sic).

4 And as I mentioned earlier, we also had a commitment
5 under the Aarhus Protocol to initiate a special review, but the
6 actual discussions I don't remember, but it was the commitment
7 at the Aarhus Protocol that would require us to initiate a
8 special review, a re-assessment of that, and complete it within
9 two years.

10 Q. I'm sorry. You don't recall who directed you to
11 initiate the Special Review; is that correct?

12 A. As I mentioned in the previous paragraph, I became
13 involved in the Special Review of lindane in 2000. Before
14 that, I was working on the New Products side.

15 Q. Admittedly rare, the PMRA had carried out Special
16 Reviews before the lindane, and had it carried out any since?

17 A. Since lindane, no, not to my knowledge--no, we have
18 not. But we have undertaken a very extensive re-evaluation
19 program that we initiated in around 2000 that has addressed a
20 fairly significant number of the Active ingredients. We are
21 currently around 90 percent of the Active ingredients that were
22 subject to re-evaluation that had been addressed so would have
23 likely picked up any issues of concern.

24 But Special Review is triggered by specific concerns
25 that had been raised, and we had some concerns with respect to

10:07 1 some other active ingredients in the past, and we addressed
2 those through a Special Review, such as carbofuran, the
3 tributyltins, and lindane. It's--there are a number.

4 Q. These are the only three I know about. Are there
5 others?

6 A. That's it.

7 Q. Whenever the discussion of the Special Review comes
8 up, perhaps a future witness of Canada will be able to assist,
9 but I'm a bit surprised that you don't know who directed the
10 initiation of the Special Review. I appreciate that you were
11 only brought in in 2000, in other words, the year after it
12 began, but it was a significant endeavor.

13 A. Well, it would have been likely the Re-Evaluation
14 Management Committee at the time because in the past, there was
15 a Committee called Re-evaluation Management, as the program was
16 being set up that likely would have made that decision, but,
17 you know, we also have a planning process within to allocate
18 resources where required, and it's standard business practice.

19 Q. We discussed at the beginning of our conversation here
20 that the 1998 Aarhus Protocol required Canada to reassess.

21 A. Um-hmm.

22 Q. The--I'm looking at Tab 31 of the Volume 1 of the
23 Joint Hearing Bundle.

24 A. Okay.

25 Q. Actually, it's Exhibit JW-10. You have it already in

10:09 1 front of you as Tab 10 of your statement, so that's helpful.

2 I'm going to Annex 2 of that document.

3 Do you have it?

4 A. Yes, I do have it in front of me, sorry.

5 Q. You're faster than I am.

6 And that is where I find the lindane and those six

7 uses are carved out, restricted, so it says in Annex 2,

8 substance is scheduled for restrictions on use, implementation

9 requirements at the top cell?

10 A. Right.

11 Q. And then the middle column, restricted two uses, and

12 in the third one down products in which at least 99 percent of

13 the HCH isomers in the gamma form, lindane.

14 A. Right.

15 Q. And then under conditions, for lindane are all

16 restricted uses of lindane shall be reassessed under the

17 Protocol no later than two years after the date of entry into

18 force.

19 Do you know when the Protocol came into force?

20 A. I believe it was--I believe that our commitment was

21 that it would be reassessed by 2002, so it's probably--I'm just

22 sort of going from calculations 2000, that would have actually

23 come into force. But I would need to verify that to be a

24 hundred percent sure.

25 Q. Right.

10:11 1 And you're kind of calculating backwards because you
2 recall a commitment to be reassessed by 2002. You're just
3 saying, well, within two years. So it must have come before.
4 But my question wants to go the other way because--and we were
5 talking about who ordered the beginning of that re-assessment
6 which came to be called the Special Review, and part of that is
7 when should we conduct, when we should conduct as PMRA, that
8 re-assessment. But you're not aware of when that Protocol--

9 A. I do not have an exact date.

10 Q. All right. Turning to Paragraph 129 of your Affidavit
11 now?

12 A. My first Affidavit?

13 Q. Yes.

14 A. 129. All right.

15 Q. This is a midst of a discussion about the conduct of
16 the Special Review?

17 A. Um-hmm.

18 Q. And the last sentence of that paragraph starts, fourth
19 line up, "As occupational exposure was being raised at such a
20 high-level meeting signaled by the presence of the PMRA's
21 Executive Director, the Claimant can't reasonably assert that
22 this issue was off the table or, indeed, even of only minor
23 concern.

24 The meeting you're referring to is that October 4,
25 2000 meeting at the beginning of that paragraph; right?

10:12 1 A. Yes.

2 Q. I'm going to continue at Paragraph 130: "Mr. Rob
3 Dupree of Chemtura Canada confirmed in a letter of October 6,
4 2000, that the PMRA had identified concerns with overexposure."

5 Now, you go on here, "In particular the PMRA noted
6 that the use pattern of lindane for seed treatments in Canada
7 often differed from that of other countries. We thought"--we
8 being the PMRA, presumably--"thought that extrapolating from
9 databases such as the Pesticide Handler Exposure Database,"
10 that's PHED, "might not be appropriate in these circumstances."
11 In essence the PMRA was indicating that the available exposure
12 data had limitations.

13 A. That is correct.

14 Q. But--

15 A. If to use PHED does not contain seed treatment
16 studies, and that's why it was felt that that particular
17 database would not be an acceptable database for estimating
18 exposure for estimating exposure for those scenarios.

19 Q. And so, that's the concern that was being identified,
20 that the data wasn't appropriate.

21 A. No. The concern was that there had been issues raised
22 in other regulatory jurisdictions such as the U.S., the U.K.
23 Pesticides Safety Directorate. There were also some concerns
24 that had been raised in the E.U. with respect to occupational
25 exposure, so it's clear from, you know, this discussion that we

10:14 1 had raised that as an issue in light of the risks that had been
2 identified by other regulatory agencies who then proceeded to
3 cancel lindane seed treatment uses.

4 Q. But I guess--

5 A. That, in and of itself, would, you know, serve as a
6 trigger for a special review where another regulatory Agency
7 has taken action against a chemical on the basis of risks that
8 have been identified. We need to at least take a look at it.

9 Q. That's not what it says in Paragraph 130. In
10 Paragraph 130, it says the Pesticide Handler Exposure Database
11 might not be appropriate. It doesn't say the U.K. had a
12 concern for worker exposure and terminated or restricted or
13 anything like that.

14 A. Um-hmm.

15 Q. And I'm asking you to confirm that in the October 4th,
16 2000 meeting, that's what was discussed, what you wrote here in
17 your testimony.

18 A. That's one of the issues that was discussed, yes,
19 between Claire Franklin and representatives from Chemtura, but
20 the whole issue of the risks that had been identified, you
21 know, by the U.K. PSD were also, you know, discussed. So, if
22 they had risks of concern, it's obvious that, you know, we
23 would also need to examine that for its relevance to the
24 Canadian scenario. It would have been a trigger for review.

25 Q. Can I ask you to turn to the tab in your first

10:16 1 statement, JW-30. That's the exhibit and the tab number.

2 A. Right, okay.

3 Q. And that's the Lindane Board of Review Report. And
4 I'm going to refer to Paragraph 108 of that Report.

5 A. Um-hmm. One moment.

6 Q. At 108, the Board stated, "With the foregoing in mind,
7 the Board notes that it was not PMRA but rather CIEL that
8 brought the issue of occupational risk to the parties'
9 attention. Moreover, the Board does not believe that
10 occupational risk was discussed to any significant extent, and
11 further was not presented as a fundamental aspect of PMRA's
12 Special Review until the Risk Assessment was completed in
13 October 2001."

14 So, based on maybe not your statement, but based on
15 the testimony that you just gave me, you don't agree with the
16 Board of Review, do you?

17 A. Not with respect to the details on this specific
18 point. We took a look at the recommendations and thought those
19 were reasonable, but, you know, with respect to every aspect in
20 the Report, I mean, there are obviously going to be some
21 differences of opinion. We were of the mind that this was a
22 significant discussion raised at a high level between
23 representatives of CIEL and ourselves.

24 Q. Again, in paragraph--well, actually, in Paragraph 143
25 of your statement, of your first Affidavit, you cite that same

10:17 1 meeting in the last line.

2 A. That's Paragraph 143?

3 Q. That's right.

4 A. Right, thank you.

5 Q. The last sentence, "Notwithstanding the Claimant
6 failed to propose any closed system or other protective
7 measures or study during this the Special Review, including
8 when the PMRA specifically raised concerns about worker
9 exposure at its October 4, 2000 meeting.

10 A. Right.

11 Q. And you cite that meeting again in Paragraph 152.

12 A. Right.

13 Q. Second sentence, "I've described above in my Affidavit
14 how the PMRA expressly raised the occupational data issue"--I
15 think that's probably a fair way to put it.

16 A. Right.

17 Q. "With Chemtura in a high level meeting with Dr. Claire
18 Franklin, the Executive Director of PMRA, on October 4, 2000."

19 A. Right.

20 Q. In that paragraph, you go on at the last sentence, "As
21 the Claimant itself admits at that meeting, Dr. Franklin
22 indicated some concerns because the use pattern for seed
23 treatments in Canada often differed from that of other
24 countries."

25 A. Yes.

10:19 1 Q. So, it was about the adequacy of data. It wasn't
2 about concern that worker exposure risks were going to become
3 or were an important part of the Special Review.

4 A. There were obvious discussions about worker risk
5 concerns. If it you look at the notes, I believe there are
6 notes by Chemtura from that meeting. It does indicate that we
7 have concerns with respect to worker risk or worker exposure
8 risk.

9 Q. I guess our issues joined.

10 A. Right.

11 Q. Thank you.

12 I'm going to Paragraph 154 now of your statement. In
13 that paragraph, you say, "Given these facts, it is ironic that
14 the Claimant is arguing, as it did before the Board of Review,
15 that the PMRA knew that the application practices used in the
16 1992 Dupree study were no longer applicable."

17 A. Right.

18 Q. Now, setting aside the irony, isn't that true? The
19 PMRA knew that that--the application practices were not
20 applicable, at least to canola seed treatment, since it had the
21 Helix Study?

22 A. We were--well, the Helix Study was representative of a
23 small number of plants with very high engineering controls,
24 including very closed systems. The--not all plants in Canada
25 at the time when the Special Review was done had that level of

10:20 1 technology. The Dupree study represented that range of
2 exposure potential. And furthermore also represented what was
3 currently on the labels at that time, so we had an obligation
4 to address the existing use pattern. We took into account the
5 Dupree study that had different levels of control from small
6 plants up to large plants, some of which had some controlled
7 conditions as well.

8 And as I'd mentioned earlier, we also did a quick
9 check against the Helix Study to see whether or not that would
10 have been, you know, something that would provide that level
11 of--that level of mitigation would have provided acceptable
12 risk, but it did not.

13 Q. And for the reasons we discussed before about that
14 certainty factor in having that effect on it; is that right?

15 A. That was one of the issues, yes.

16 Q. Here--this will no doubt reflect my ignorance in the
17 process of a Registrant and an Agency meeting or failing to
18 meet on either an approval or a re-evaluation or a Special
19 Review of this type.

20 So, I'm going to--naively, I will say this: An
21 alternative scenario, the PMRA received the Dupree study,
22 received it in the same manner that it did?

23 A. Right. And, in fact, we already had it in our
24 database, and it was something that was looked at by our
25 exposure assessors when they screened the database at the

10:22 1 initiation of the review.

2 Q. So, in fact, the fact that Rob Dupree submitted it to
3 the Agency? October of 2000 made no difference whatsoever?

4 A. It was not new information to the assessors.

5 Q. And it made no difference to the assessors or to
6 the--I'm sorry, to the Assessment. It would have been used
7 anyway?

8 A. It would have been used anyway, yet.

9 Q. Fair, fair, thank you.

10 A. And it was also used by the U.S. EPA essentially in
11 their Assessment.

12 Q. So, I'm going back to my naive world. It was in the
13 database. PMRA was aware of it. It was aware that it was
14 1992, and that it reflected a use pattern, a variable use
15 pattern?

16 A. It represented the use pattern that was in existence
17 at the time, yes, and was also on the labels.

18 Q. Well, not the use pattern that was reflected in the
19 Helix Study.

20 A. No. That was a limited number of plants that, you
21 know, had those high level of engineering controls, but there
22 was no restriction that was proposed by the Registrant to limit
23 it just to those plants.

24 Q. No, that's right.

25 A. So, we had to assess the existing use pattern.

10:23 1 Q. So, when you assessed it according to the Dupree
2 study, you could have assessed it in light of what you knew to
3 be the new technology and then come back to them and rather
4 than terminate them on the basis of unacceptable exposure, say,
5 you're allowed to use it, but it's got to be in these state of
6 the art plants.

7 A. It's the responsibility of Registrants, you know, to
8 support their active ingredients. They did not come forward
9 with any recommendations for placing--allowing seed treatments
10 to take place in those only very limited number of plants. And
11 as I'd mentioned earlier, we had done a quick calculation using
12 the Helix Study, and it did not provide for adequate
13 protection, and, therefore, it would have been unethical, I
14 think, you know, for us, you know, to go back to the Registrant
15 and ask for new data, for, you know, new data, for example, u
16 know to support that particular use pattern.

17 Q. Unethical? Can you explain what you mean.

18 A. Well, just that, you know, for them to go and to
19 generate the data at considerable cost when, you know, it would
20 have--it still are resulted in unacceptable risk estimates.
21 That's all.

22 Q. I understand.

23 A. But, you know, they did not approach us about either
24 generating data or limiting the use to individual type plants.

25 Q. So, I apologize that this sounds naive, but what I

10:25 1 would have thought would be that whether you received the study
2 from Chemtura in 2000, you already had it in your database, you
3 used it.

4 A. Right.

5 Q. You could have, rather than say that it's the
6 responsibility of the Registrant to propose mitigation
7 measures, and in light of the consequences of termination, you
8 could have--couldn't you have told them? You always could have
9 told them about the occupational exposure risk and then allow
10 them to make the decision as to whether they wanted to spend
11 that money.

12 A. Well, we did actually. We had a conference call with
13 the Registrants on October the 30th, and also on November the
14 5th to outline what the risk concerns were, so we did identify
15 to them very clearly that we had risks with respect to
16 occupational exposure, that the margins were exceedingly low.
17 So, we raised the issue with them.

18 Q. October 30th of...

19 A. Of 2001, sorry, after we had released our--

20 Q. Right?

21 A. To them, for comment.

22 Q. But that was after the Assessment was concluded; isn't
23 that so?

24 A. That's after the Assessment had been provided to them
25 for comment. Then we did take a look at the comments that we

10:26 1 did receive, and a final decision was made as a result of that
2 process.

3 Q. Right, right.

4 And I guess my hypothetical, if I could call it that,
5 was that you might have told them, as you were finding this out
6 rather than as a virtually fait accompli, but for a comment
7 period for a few weeks?

8 A. The comment period ended up being approximately a
9 month.

10 Q. Right, four weeks.

11 A. Right.

12 But, you know, the Claimant as well was well aware of,
13 you know, the concerns that had been raised internationally
14 with respect to occupational exposure, and I'm kind of
15 surprised that, you know, they didn't knock on our door to
16 propose specific mitigation measures, you know, if they had
17 those in mind, given the concerns that they were well aware of
18 that had been raised internationally on this chemical.

19 Q. The Lindane Review Board has some comments about that.
20 Perhaps we can turn to them shortly.

21 I'm turning to Paragraph 163 now.

22 A. 163.

23 Q. Okay. It states, "The conclusions of the internal
24 exposure assessment study submitted by the Claimant on December
25 3rd, 2001, handler exposure Assessment for lindane use as a

10:27 1 commercial seed treatment were of no use.

2 Is that internal exposure Assessment study the Jones
3 Korpalski study?

4 A. No, that was not. I was done by I believe
5 Mr. Korpalski, but it was basically a recalculation of the
6 Assessment from some of the Registrants' point of view. It
7 wasn't based on any new data, which would have been the
8 Korpalski study. The Korpalski--the Jones Korpalski study was
9 not done until substantially later. It was not presented until
10 the Board of Review. So it was basically just an alternative
11 Risk Assessment based on data that we had already looked at.
12 And as you can see in there as well, there was a calculation
13 error that was made underestimating the exposure by the
14 Claimant.

15 Q. In fact, to the Claimant's prejudice, no?

16 A. No. It was the other way around, actually.
17 Underestimating--the margins were actually much lower than they
18 had calculated. It's just the opposite. They had
19 underestimated exposure by an order of magnitude as a result of
20 this calculation, so there is no new information here.

21 Q. In Paragraph 169 of your statement that's on the next
22 page--

23 A. Right.

24 Q. --the Claimant has complained that the overall period
25 given for comment was too short.

10:29 1 A. Right.

2 Q. And there you say but the period granted was
3 consistent with that used for other re-evaluations done at the
4 same time.

5 A. Right.

6 Q. Going back to the Lindane Board of Review Report,
7 that's again JW-30 at Tab--not tab, I'm sorry, at
8 Paragraph 120.

9 A. Right.
10 Paragraph 120?

11 Q. Yes, please.

12 A. One moment.

13 Q. "The Board finds," I'm reading from that paragraph,
14 "that the comment period afforded to Crompton once PMRA
15 completed its Risk Assessment was inadequate," so the Board
16 disagrees with you.

17 A. They felt that it should be longer than 30 days.

18 Q. Right.

19 In other words, the Claimant complaining that it was
20 too short was backed up by the Board.

21 And then in your Paragraph 169 of your first
22 Affidavit, like I just read, you said the period granted was
23 consistent with that use for other re-evaluations, but the
24 Board says in the last sentence, "In his evidence John Worgan
25 of PMRA himself admitted that it was unusual for PMRA to come

10:30 1 to a decision so quickly and without adjusting its findings at
2 all after comments from Registrants."

3 A. Unusual in that over time we did, you know, adjust the
4 comment period, so now that it is in the range of 45 to 60 days
5 instead of 30 days.

6 Q. Well, you have extended it?

7 A. Yes.

8 Q. So, it used to be--it used to be shorter?

9 A. It was shorter at this time, in that, you know, our
10 re-evaluation activities began probably in earnest around 2000.

11 Q. Sure.

12 A. We did a limited number of reevaluations initially,
13 but some of them did include things such as chlorpyrifos and
14 diazinon, and we were also working on the tributyltins at that
15 time. For these, for the residential uses of chlorpyrifos, as
16 we were proceeding with our re-evaluation, we determined that
17 there were risks of concern for children and some homeowner
18 risks due to the use of chlorpyrifos, so we took quick
19 regulatory action and, you know, had a relatively short comment
20 period with Registrants who voluntarily discontinued their
21 products as good product stewards.

22 Q. Thank you, but I'm going back to Paragraph 120. At
23 Board of Review, you said that was an usually short period;
24 isn't that right? Am I reading that correctly?

25 A. Yes, you are. Yes.

10:32 1 Q. Okay. I think--I think I made the point, I hope.

2 Jumping ahead to Paragraph 171, and there I
3 think--well, confirm for me or correct me, you're giving
4 reasons as to why that comment period after the Special Review
5 was so short, you say, it must also be remembered that this was
6 a comment period relating to a special review, where the PMRA
7 had reason to believe that continued use of the product could
8 lead to damage to human health and the environment.

9 A. Right.

10 Q. So, that's sort of an urgency appeal that you were
11 making there; is that right?

12 A. That was part of the consideration because a special
13 review is triggered by specific concerns. In the case of
14 lindane, based on that Risk Assessment, we had significant
15 serious concerns with respect to worker risk.

16 Q. Hence, this four-week comment period.

17 But--and we know that the Special Review led in fairly
18 short order within a few months to the termination of
19 Chemtura's registrations, but that if Chemtura had signed on
20 the dotted line, as other Registrants did, it would have been
21 allowed to continue to sell for two more years?

22 A. That was their choice.

23 Q. Right, I understand that.

24 A. They chose to be terminated because that was the only
25 other option that was available to us.

10:33 1 Q. Right.

2 But I guess what I'm going to is the urgency didn't
3 seem so pressing in the case of other Registrants as to the
4 abbreviated and, as you said at the Board, an unusually short
5 comment period when, in fact, the product continued to be in
6 the market, be used for two more years.

7 A. No. Ideally, you know, in the best of all possible
8 worlds where we do identify risks of concern, we would be able
9 to remove that product from the marketplace almost immediately.
10 However, there are some very practical type considerations. We
11 allowed for a--two different phase-out time frames, depending
12 on the crops, so it was really to address the practical type
13 considerations. Ideally, it would be almost immediate.

14 Q. And this is just now, I'm not talking--I'm not talking
15 about lindane, but going on to suppose the PMRA became aware of
16 a pesticide that was a very serious and unacceptable risk.
17 Would it not have the power to terminate it without giving this
18 phase-out period?

19 A. Yes, we could.

20 Q. But is there a special finding it would have to make
21 before it took those drastic consequences?

22 A. It would be an exceptionally serious, you know, end
23 point of concern, yes, but typically, we, you know, do allow
24 for a phase-out, and the duration of that phase-out is going to
25 be dependent on a variety of factors, including the risks of

10:35 1 concern.

2 Q. Right, okay.

3 A. It's basically just practical type considerations.

4 Q. I guess I'm looking at the Board of Review Report
5 again, and this time in Paragraph 103. As far as urgency and
6 process, the Board stated at 103, "While it is appropriate for
7 PMRA officials to manage the Special Review process in a way
8 that allows it to come to an informed and expeditious
9 conclusion, and in accordance with the requirements of the
10 Regulations, in the opinion of the Board, Registrants should be
11 permitted the opportunity to make representations to those
12 officials before a decision is issued that adversely affects
13 their products, particularly where the decision is, as it was
14 in this case, as dramatic as a cancellation of registrations."

15 A. And they were offered an opportunity to come in and
16 make representation after the conference call, and they did.
17 As you'd mentioned, they provided us with the alternative
18 Assessment, and they also made, you know, some
19 recommendation--they actually made some recommendations, I
20 think, for some mitigation in there as well, but we looked at
21 that, and that was not sufficient.

22 Q. I guess--I'm suggesting to you, though, that the
23 Lindane Board of Review disagreed with the PMRA as to the
24 adequacy of the comment period. In Paragraph 103 and again in
25 106; is that fair?

10:37 1 A. Yes, that is correct.

2 Q. Okay. Thanks.

3 In Paragraph 174 of the statement--of your first
4 Affidavit.

5 A. Okay. 174.

6 Q. There you state if Chemtura--do you have it?

7 A. No, I don't have it. 174. Yes.

8 Q. "If Chemtura had presented data on potential
9 limitations on formulations or protective measures including
10 during the comment period following the release of the PMRA's
11 draft Assessment," you say there, "in October 2001, PMRA would
12 have been in a position to take such steps into consideration."

13 A. That is correct.

14 Q. In fact, though, the Board of Review put the
15 responsibility on PMRA, didn't it, to inform Chemtura of its
16 occupational risk concerns.

17 A. Which we did.

18 Q. And given an opportunity to address them--I'm sorry?

19 A. Which we did. Yes. We informed them of the risk
20 concerns, and they were given an opportunity to address those
21 concerns through the consultation period.

22 Q. Okay. I'm now going to Paragraph 106 of the Board of
23 Review Report?

24 A. Okay. Which tab was the Board of Review?

25 Q. I'm sorry, Exhibit JW-30. Same tab.

10:39 1 A. I'm a little slow here today.

2 Which paragraph?

3 Q. 106. 105--

4 A. Right.

5 Q. There the Board states, "Although the PMRA maintains
6 that Crompton was given an adequate opportunity to provide
7 input regarding the conclusions reached in the Special Review
8 regarding the registration of Lindane Products, the Board is of
9 the view that to give life to Section 19 of the Regulations in
10 a manner consistent with the principles articulated in
11 Baker--that's a Canadian fairness case, legal case--a
12 meaningful opportunity for input should have been given to
13 Crompton particularly when PMRA officials began forming the
14 view that the registration should be canceled and after the
15 Risk Assessment was completed but before the Minister's
16 decisions were finalized."

17 I guess the Board obviously disagreed with the Agency
18 on that.

19 A. They felt that the comment period should have been
20 longer, yes.

21 Q. I guess I read a little more than that, but--into
22 that, where an adequate opportunity to provide input regarding
23 the conclusions.

24 A. But this is--there had been a number of concerns
25 related to lindane over the years, and occupational risk was

10:40 1 one that had been identified by a number of regulatory
2 agencies, so, you know, I think Chemtura would have been well
3 aware that, you know, there are need for mitigation measures in
4 seed treatment plants in Canada and elsewhere to address risk
5 concerns that had been identified by a number of regulatory
6 agencies.

7 Q. And I think that's exactly the Board's--I will propose
8 to you that that's exactly the Board's concern. It's not that
9 everyone or anyone, whether the Board or the Claimant is
10 saying, what's the problem with lindane, everybody knows there
11 is a problem with lindane. What the Board, I put it to you,
12 was suggesting or even recommending was that, given the
13 seriousness of these issues, it would have been better to
14 entertain a dialogue with the people who would be in a position
15 to either assist or be consulted anyway on mitigation of those
16 risks. But, in fact--

17 A. But they were given an opportunity. I recognize that
18 the Board felt that it was too short, but it was still a month,
19 and the issues around lindane had been raised for a number of
20 years. The Chemtura would have been well aware that there
21 would have been possible mitigation measures that they could
22 have come in to address with us, but essentially what they did
23 is they just rejected the Assessment. They didn't come in and
24 inquire with respect to what were some of the possible
25 mitigation measures.

10:42 1 And as you know, the Board of Review was also very
2 critical of Chemtura for failure to engage during this whole
3 process.

4 Q. I guess I'm reading 106, Paragraph 106 as saying more
5 than relating to the comment period because, as I was reading,
6 in the third line from the bottom or let's say starting after
7 the word Baker, "A meaningful opportunity for input should have
8 been given to Crompton particularly when PMRA officials began
9 forming the view that the registration should be canceled, not
10 after they had concluded their view and offered a comment
11 period, but, in fact, in the process." So, while the Board did
12 have concerns about the length of the comment period, isn't it
13 right that they were saying more than that in 106 in that
14 Chemtura should have had opportunity for input before that view
15 for cancellation of registrations had been formed?

16 A. Yes, that's my understanding of that as well, but our
17 process is that these Assessments are done, and they are
18 brought forward to SMC, our Science Management Committee, for
19 direction as to what the next steps are. So, it's something
20 that would not have been substantially more in advance of the
21 time that we actually contacted Chemtura.

22 At that stage, around October, we'd identified
23 significant risks of concern, and we finalized our Assessment,
24 then had that discussion with Chemtura and others to solicit
25 input from them. So, it was around that time that the risk

10:43 1 issues had been identified, sometime in October. Our concerns
2 had been identified. It was at that stage that we were able to
3 say these are significant enough concerns. There are endocrine
4 effects, there are sensitivity effects that, you know, we need
5 to address, and so we did that in our Risk Assessment and had
6 our discussion with Registrants forthwith.

7 Q. When you said October, did you mean October 2000 or
8 2001?

9 A. 2001.

10 Q. Oh, all right. But--

11 A. With respect to our Risk Assessment.

12 Q. Right. That was the conclusion of the Special Review?

13 A. Um-hmm, right.

14 Q. And I--

15 A. We could not predict exactly what our Risk Assessment
16 would have shown until that time, until we had completed our
17 own Assessment. But risks of concern had been identified by
18 other regulatory agencies, and that was brought to the
19 attention a full year in advance to Chemtura.

20 Q. We discussed that.

21 A. We discussed that.

22 Q. Can I direct your attention now to Paragraph 179 of
23 your first Affidavit.

24 A. 179.

25 Q. Page 46.

10:45 1 A. Yes, I see that.

2 Q. There you state, "Even before the release of the Board
3 of Review's Report on August 17, 2005, the PMRA decided to
4 carry out a de novo scientific review of lindane in order to
5 examine and consider any new scientific information generated
6 on lindane since the 1999-2001 PMRA Assessment." And your
7 footnote 42 takes us to Exhibit JW-29. Could I ask you to keep
8 a finger, if you would, in the Page on 179, Paragraph 179, but
9 turn up--

10 A. JW-29?

11 Q. Right.

12 A. Right.

13 Q. That's, as you describe it in your footnote, an E-mail
14 from a person Karen Lloyd.

15 She is at the PMRA, or was?

16 A. At the time, she was at the PMRA, yes, as Director
17 General of the Environmental Assessment Directorate.

18 Q. Could you turn the page and go to the second page of
19 that E-mail, and looking at the only complete sentence on that
20 page, and it says--I'm sorry, the first complete, "As soon as
21 the recommendations of the Lindane Board of Review become
22 public, I will share them with you."

23 ARBITRATOR CRAWFORD: Where is it?

24 PRESIDENT KAUFMANN-KOHLER: Where is it?

25 MR. SOMERS: Second page of Exhibit JW-29, at the top

10:47 1 of the page there, "as soon as the recommendations," that
2 sentence.

3 BY MR. SOMERS:

4 Q. I guess I just want to ask you, the way I read that
5 sentence, that means that Karen Lloyd had a copy of the Lindane
6 Board of Review Report or recommendations but couldn't share
7 them with you until they became public. Can you confirm
8 whether that's so?

9 A. I know that for this E-mail there was some confusion
10 with respect to the date, as I think we had misread how they
11 put the date here. This would have been August the 6th, I
12 believe. Is that correct? Which date is that? No, that would
13 have been September 6. This must have been a copy of the
14 Report once it had been given to the PMRA.

15 Q. And before it became public?

16 A. Yeah, just before, I suppose.

17 ARBITRATOR CRAWFORD: The Report itself is dated 17th
18 of August.

19 THE WITNESS: 17th of August.

20 ARBITRATOR CRAWFORD: That's when the Report is dated.

21 THE WITNESS: Okay. Yeah, because I know again that
22 there was confusion with respect to the dates on this.

23 Yeah. I'm sorry, I can't help you there.

24 BY MR. SOMERS:

25 Q. So, you weren't aware whether Karen Lloyd had a copy,

10:49 1 never mind sort of the dates for now.

2 A. She would have had a copy when that document was given
3 to the PMRA.

4 Q. But you didn't?

5 A. At the time--I had a copy when it was given to the
6 PMRA.

7 Q. Okay.

8 A. I just don't remember all of the details as to how
9 soon in advance we got it before it was put up publicly.
10 That's all. It was just standard normal process. We received
11 a copy I'm sure as did Chemtura at the same time, and then it
12 was posted shortly thereafter, but again it was just, I think,
13 in this case here, it was just really a confusion with respect
14 to the how the dates were presented. I don't believe she would
15 not have had an advance copy other than the one that had been
16 submitted by the Board of Review to us.

17 Q. Right.

18 But--okay. And the only reason I'm belaboring this
19 point is because in Paragraph 179, you say, "Even before the
20 release of the Board of Review's Report on August"--

21 A. There it is, yes. Yes.

22 Q. --"2005, the PMRA decided to carry out a de novo
23 scientific review of lindane.

24 The implication is that the PMRA would have carried
25 out a scientific review of lindane, irrespective of the Board

10:50 1 Report, isn't it?

2 A. Again, this was an error on my part, you know, looking
3 at the dates of this E-mail.

4 Q. Oh, oh.

5 A. I thought Karen Lloyd had, you know, decided that she
6 would initiate some level of review, but it was just an error.

7 Q. Okay.

8 A. It was an error. It was an honest mistake.

9 Q. So, we should just revise Paragraph 179?

10 A. That's correct.

11 Q. Okay, I'm sorry.

12 A. And I think during the document discovery exercise we
13 did indicate that it was just a clerical error on my part.

14 Q. Thanks for that. That's helpful.

15 Looking at Paragraph 184 of your first Affidavit now,
16 where you say, of course the Board of Review would have taken a
17 different approach on various points and had different evidence
18 before it. My colleague, Cheryl Chaffey, reviews the PMRA's
19 thoughts on various recommendations of the Board, and I
20 therefore refer to the Tribunal to her evidence. What I do
21 note is that the Board of Review process was a discussion
22 between scientists about a scientific process discussing the
23 range of options open from a scientific point of view.

24 A. That's correct.

25 Q. The Board of Review never called in question the

10:51 1 integrity of the PMRA process.

2 Now, I'm going to ask you to turn again to Tab JW-30
3 in the Board of Review's Report and just by way of example
4 point to Paragraphs 103 to 106, and ask you to either confirm
5 or correct me. Those paragraphs--

6 A. 103?

7 Q. 103, 104, 105, and 106.

8 They're a discussion of fairness, aren't they, they're
9 a discussion of process, of adequate time to respond, of
10 consultation. They cite a Supreme Court in Paragraph 104 of
11 the Supreme Court of Canada decision.

12 And so, in fact, the Board of Review did call the
13 integrity of the process into question, didn't it?

14 A. The Board of Review--what we are referring to here is
15 the scientific process that we have followed, and the Board of
16 Review did conclude that the--while they may have taken a
17 different approach on some parameters in the Assessment that
18 overall the scientific process and Risk Assessment was well
19 within the range of acceptable. So, they did not criticize the
20 process. They would have maybe come to a different--the
21 process, scientific process, the Risk Assessment was well
22 within what would be expected.

23 Q. I guess I'm going to a different point from that,
24 where you say it was a discussion between scientists about a
25 scientific process.

10:53 1 A. That's true.

2 Q. But it was--

3 A. It's the Risk Assessment process.

4 Q. It was also--it was--the Board of Review was more than
5 that. It was a discussion about the overall deficiencies in
6 fairness about the process, and that's why in Paragraphs 103 to
7 106 they talk about fairness. They don't talk about science
8 and at risks and percentages or anything like that?

9 A. Not at that point, but this particular text is related
10 to, you know, the scientific Risk Assessment that's
11 in--described in Cheryl Chaffey's Affidavit.

12 PRESIDENT KAUFMANN-KOHLER: Mr. Somers, I assume your
13 cross-examination will last somewhat longer, or is it close?
14 Because you're still at the first Witness Statement, so when
15 you come to a point where it's easy to stop for a break, you
16 can decide yourself what is a good time.

17 MR. SOMERS: Thank you. I'm actually, although it's
18 true that I'm only in the first statement, that that is where
19 the bulk of my examination sits, so I'm going to try to
20 estimate how much longer I have.

21 I will be prepared to break now and come back.

22 PRESIDENT KAUFMANN-KOHLER: That's a good thought.

23 So let's take a 20-minute break, then.

24 (Brief recess.)

25 PRESIDENT KAUFMANN-KOHLER: So, Mr. Worgan, you're

11:23 1 ready to start again.

2 THE WITNESS: Yes, I am.

3 PRESIDENT KAUFMANN-KOHLER: Good, Mr. Somers.

4 MR. SOMERS: Thank you.

5 BY MR. SOMERS:

6 Q. Hi, Mr. Worgan.

7 A. Hello.

8 Q. I'm still in your first Affidavit.

9 A. Okay.

10 Q. And now I'm at Paragraph 238.

11 A. Yes.

12 Q. Now, in this section, you're discussing the
13 development of the Re-evaluation Note, the process leading up
14 to that--

15 A. Um-hmm.

16 Q. --decision.

17 So, I will pick up at Paragraph 238.

18 By April 1st, 2008, HED had completed the human health
19 Risk Assessment for lindane--

20 A. Right.

21 Q. --integrating the revised approach to the application
22 of uncertainty factors and the new PCPA factor.

23 A. Right.

24 Q. PCPA factors of 3-fold and 10-fold were applied to the
25 acute and chronic dietary Risk Assessments respectively.

11:24 1 A. Yes.

2 Q. These additional factors were applied to account for
3 the sensitivities of vulnerable subpopulations, pregnant
4 females and instants--

5 A. Um-hmm.

6 Q. --as well as any residual concerns and certainties
7 pertinent to these subpopulations.

8 Weren't those subpopulations the same justification as
9 the PMRA used in the Special Review to have chronic
10 uncertainty--or, I'm sorry--an Occupational Risk Assessment of
11 10-fold on top of that interest species variation, and the term
12 escapes me now, and the other 10-fold increase yielding a total
13 risk factor of a thousand? Isn't that the same reason as was
14 given in the Special Review, the vulnerable subpopulations?

15 A. Yes, that was one of the considerations. However, I
16 am, you know, not fully familiar with all of the details of how
17 this PCPA factor was applied in terms of this Risk Assessment
18 because I do not--you know, I'm not responsible for the Health
19 Risk Assessments. That would be Dr. Chan.

20 But yes, there was issue both in the Special Review as
21 well as here with respect to the sensitivities, sensitivity
22 issue, after we looked at the additional--the new information
23 that had been provided.

24 MR. SOMERS: In the last sentence of that paragraph,
25 indeed you say: In the Occupational Risk Assessment, an

11:26 1 additional factor of 10-fold was used to address the same
2 considerations that supported the use of the PCPA factor in the
3 chronic dietary Risk Assessment, given that the workforce could
4 include pregnant or lactating women.

5 A. That is correct.

6 Q. So, that's the same as the Special Review.

7 A. Yes, yes.

8 Q. Now, could I ask you again to turn to my favorite
9 exhibit, the Exhibit JW-30, the Lindane Review Board Report.

10 A. Okay.

11 Q. And as I explained to the Tribunal at the outset, you
12 will have to go to that page that I gave you because that was
13 inadvertently omitted from both of your affidavits, and it's
14 Page 53 of the Lindane Review Board Report, and I'm looking at
15 Paragraph 222.

16 A. Right.

17 Q. There it says that the Board is of the view that the
18 additional 10X uncertainty factor is not justified.

19 A. Um-hmm.

20 Q. So, I guess I had a couple of questions coming off of
21 that, and one is: Isn't it so that there is no better
22 justification now in the re-evaluation than there was in the
23 Special Review, because your reasons are the same and the Board
24 found them unjustified, so the Board would equally have been
25 constituted to review the Re-evaluation Note and come to the

11:28 1 same conclusion: It's not justified. It's the Board.

2 A. Again, I'm not familiar with all of the details with
3 respect to the Health Risk Assessment not being responsible for
4 that.

5 Here what they are doing is they are recommending and
6 the recommendation was that we consider another uncertainty
7 factor, safety factor for this particular issue. It is
8 something that was looked at by our scientists that were
9 involved in the re-evaluation follow-up review, in light of the
10 new policy that we have with respect to uncertainty and safety
11 factors; and also having looked at, I believe there was some
12 data in the published literature and this whole issue of
13 sensitivity was, you know, re-examined, and then on the basis
14 of that new data, that new information, that it confirmed the
15 need for a 10X safety factor to cover up sensitive
16 subpopulations. So, you know, at that time they were
17 recommending a different factor, but, you know, it has been
18 looked at by our scientists in light of our new policy, in
19 light of new information, and they've arrived at the conclusion
20 that the 10X is justified.

21 Q. The Board made that recommendation to change--to
22 change, not to just look at again. The Board, in
23 Paragraph 222, went on to say: "It therefore recommends that
24 PMRA consider an adjustment factor other than the 10X
25 justification default." That is the recommendation. But the

11:29 1 recommendation, I suggest to you, is based on their scientific
2 rejection of the justification for the additional 10X. They
3 did recommend a different one, quite so, but the reason they
4 did that was, as you testified earlier in the conversation of
5 scientists between scientists, that it wasn't justified, and
6 the justification is a scientific evaluation of assessments of
7 evidence leading to a logical conclusion.

8 A. Right.

9 I think, you know, you also need to look at, you know,
10 the Board's conclusions regarding the toxicological Assessment
11 and they are saying that the evidence is suggestive as opposed
12 to conclusive, and they recommend that that be taken into
13 account when considering the need for an additional certainty
14 factor. This is Paragraph 217 of the JW-30. That is exactly
15 what was done by our scientists in light of the safety factor
16 policy document that we developed in consultation with a
17 variety of stakeholders, including industry.

18 But again, you know, the details with respect to the
19 actual application in this case and how they arrived at those
20 questions would need to be directed to, you know, the
21 scientists and Health Evaluation Division.

22 But we did reconsider it. We, I believe, had new
23 information, you know, that was gleaned from the published
24 literature, and, you know, on the basis of that, deemed that it
25 was fully supported, that there were, you know, clear

11:31 1 indications of sensitivity, but, you know, that they would need
2 to be, you know, discussed further with the health evaluation
3 scientists. I manage the process. I'm not directly involved
4 in the Risk Assessments per se.

5 Q. I guess what I would ask you to distinguish from, on
6 the one hand, is policy.

7 A. Mm-hmm.

8 Q. And you testified, I think, that the PMRA changed its
9 policy in regard to uncertainty factors?

10 A. The PMRA had a consultation process, a public
11 consultation process, on the uncertain--application of
12 uncertainty factors, safety factors in Risk Assessment. There
13 are a few meetings, I believe, plus a document that went out
14 the door for comments. We looked at those comments or it was
15 looked at by the scientists in Health Evaluation Division, and
16 the policy was finalized in light of those comments that we did
17 receive. So, we underwent that policy review, you know, as one
18 of the commitments that we had made as a result of the Board of
19 Review Report that we received.

20 Q. But notwithstanding the establishment of this new
21 policy, as I read your testimony and the Board of Review and
22 the Special Review, the justification for the 10X factor on the
23 testimony is the same, and yet the Board found that it wasn't
24 justified. And so my assertion to you, and I would ask you to
25 confirm, is that the Board would recommend again if it was

11:32 1 assessing the REN for the same reasons--

2 A. Right.

3 Q. --that it be looked at again.

4 A. Right.

5 Q. You go back and use a different uncertainty factor.

6 A. Well, you know, again in terms of the details, I
7 really can't speak to that, but they were saying that the
8 evidence was suggestive at that time. I believe that--and you
9 would need to verify with Health Evaluation Division, that they
10 looked at the evidence again and found that it was certain as
11 opposed to suggestive, but I really--I can't go any further
12 than that with respect to the details of application of safety
13 factors for specific chemicals having not been directly
14 involved in the Risk Assessments for this chemical or any
15 chemical for that matter. They applied their policy that they
16 developed, you know, in consultation with a variety of
17 stakeholders, including Chemtura's own industry association.

18 Q. And ended up with the same result, ten--1,000X; right?

19 A. In this case, yes, based on the evidence that they
20 looked at.

21 Q. I'm turning now to Paragraph 242 of your Affidavit,
22 first Affidavit.

23 A. Okay. Just one moment.

24 Q. On Page 5 of the REN you state there that PMRA points
25 to its conclusions regarding the feasibility of possible

11:34 1 mitigation procedures. Risk reduction measures to address some
2 of the potential risks from use of lindane are identified in
3 this Assessment but are not proposed for implementation.

4 A. Mm-hmm.

5 Q. It is not feasible to reduce risks sufficiently to
6 address the levels of concern which had been identified for
7 human health, even with maximum personal protective equipment--

8 A. Right.

9 Q. --and engineering controls risks to workers handling
10 lindane and lindane-treated seed were unacceptable.

11 A. That is correct.

12 Q. That is, again, we can see between the lines the
13 operation of that thousand fold uncertainty factor; isn't that
14 right? Wouldn't that work directly into that calculation of
15 the impossibility of mitigation?

16 A. I wouldn't say the impossibility. You know, in this
17 case, you know, even with all of those--extensive mitigation,
18 we still had risks of concern.

19 I mean, we routinely, you know, apply, you know, our
20 safety factor as per our policy, and there are a number of
21 chemicals, you know, such as 2,4-D, for example, or Helix for
22 that matter, where an application of an additional 10X still
23 results in acceptable risk.

24 Q. Yeah, I guess my interest is in your testimony and in
25 lindane.

11:35 1 A. Right.

2 Q. As I understand that there are mitigation procedures
3 for others--

4 A. Mm-hmm.

5 Q. --that are quite happily implemented and the
6 Registered product continued to be used--

7 A. Right.

8 Q. --with those additional precautions.

9 A. Right. Because, you know, the risk is going to be
10 dependent, is chemical specific, is dependent on the hazards of
11 associated with a particular chemical.

12 Q. Right.

13 And in this case, you didn't want--you didn't accept
14 the use of my word "impossible," so your word is un--not
15 feasible--not feasible to reduce risks sufficiently--I'm at the
16 top of page 64--to address the levels of concern which have
17 been identified for human health.

18 A. Mm-hmm.

19 Q. And I was just asserting to you, asking you to confirm
20 or not, that that's a direct result of the selection of that
21 additional 10X factor leading to a thousand-fold uncertainty
22 risk factor that makes it not feasible to reduce risk
23 sufficiently.

24 A. Well, it's the result of the, you know, the risk
25 associated with lindane. It's a result of the, you know, the

11:36 1 hazards that are associated with this chemical as well as the
2 exposure potential as well as the safety factor. So it would
3 be a combination of things, not just attributable to one single
4 factor.

5 Q. Continuing down that paragraph, the last line of the
6 quote from Page 5 of the REN, it states: "There are no known
7 reported measures that would effectively mitigate the release
8 of the waste chemicals produced in the manufacture of lindane."

9 First of all, I need--are you with me?

10 A. Yes, I am.

11 Q. All right. My first question is: What does it mean
12 to effectively mitigate the release? Isn't mitigating release
13 not releasing? In other words, if we put a lid on those waste
14 products and sequester them securely, wouldn't that be
15 mitigating the release?

16 A. That would be one possible way of mitigating it, yes.

17 Q. Well, there are reports in the literature of safely
18 stored waste isomers. In fact, in the record, there are
19 photographs of sites which contain safely stored waste isomers.
20 So, wouldn't that mitigate the release?

21 A. Well, it would depend on how they are stored. I mean,
22 there have been problems with, you know, these isomers that are
23 generated, you know, as waste byproducts, you know, from the
24 use of lindane, and, you know, they are being released into the
25 environment, as is evidenced by concentrations that are

11:38 1 observed well away from areas where lindane would actually be
2 used.

3 So, you know, just storage degenerate, you know, for
4 every tonne of lindane, you know, several tonnes of waste
5 isomers, and to store it somewhere, I mean, I don't think that,
6 you know, that would be a reasonable mitigation measure. Just
7 storage itself, no.

8 But that--

9 Q. There's evidence elsewhere in the record of--and I'm
10 considering whether to put it in front of you--but there is
11 evidence elsewhere in the record that safely secured stored
12 waste chemicals that were produced in the manufacture of
13 lindane, are you not aware of those?

14 A. We are aware of the information that was provided by
15 the Registrant with respect to how some of the waste isomers,
16 you know, can be cracked into other chemicals for other use.
17 We did make a revision to the REN as it was to be published,
18 and we do indicate there that they have provided us with
19 information to suggest that that is a possible mitigation
20 measure.

21 However, this is really--the risk associated with
22 lindane as described in the follow-up review is related to the
23 gamma isomer. We did include some discussion with respect to
24 the alpha and beta isomer, but lindane itself was assessed in
25 the Risk Assessment, and we found that there were unacceptable

11:40 1 dietary risk, there were unacceptable occupational risk, and
2 also unacceptable environmental risk.

3 So, that really was the basis of the Risk Assessment.
4 The other is a secondary issue, while important is nonetheless
5 a secondary issue.

6 So, even if you were, you know, to store those, as you
7 say, somewhere where there would be zero environmental release,
8 the risks associated with lindane are attributable to--for us,
9 are attributable--we base our Assessment on the gamma isomer or
10 the lindane itself, not on the isomers.

11 Q. Well, the REN itself, and your testimony spent some
12 time discussing the isomers. When we say waste chemicals
13 produced in the manufacture--

14 A. Right.

15 Q. --not we, when the REN does--in the manufacture of
16 lindane, that is referring to the alpha and beta isomers; is
17 that right?

18 A. It was included in the REN for completeness because of
19 the potential for long-range transport and the designation of
20 both the alpha and beta isomers as POPs under the Stockholm
21 Protocol, for example.

22 So, you know, but it wasn't a driver in the Risk
23 Assessment. It was a consideration.

24 Q. I understand.

25 A. But it didn't drive the Assessment.

11:41 1 Q. No, it was an additional reason for the conclusions of
2 the REN.

3 A. It was a secondary issue. The main issue was related
4 to lindane itself, and those had--even without that issue, the
5 Risk Assessment for lindane was unacceptable, both from a
6 health and environmental point of view--multifaceted.

7 Q. I'm going to read--nevertheless, the REN comments in
8 this way: It is not feasible to reduce risk sufficiently to
9 address the levels of concern which have been identified for
10 human health, environment. And it goes on to say: There are
11 no known reported measures that would effectively mitigate the
12 release of the waste chemicals produced in the manufacture of
13 lindane.

14 So, while it may not have been a driver, it may not
15 have been the main reason--

16 A. Mm-hmm.

17 Q. --it was another reason for the conclusions, an
18 additional enough--significant enough to be repeated in the
19 REN, significant enough reason to not change your assessment
20 that the levels of concern identified for lindane were too high
21 to permit it to be re-registered in Canada.

22 A. The decision on the Risk Assessment was based on the
23 gamma isomer. The risks were clearly unacceptable.

24 The other issue we felt was worth noting, but it was a
25 secondary issue.

11:43 1 And, furthermore, the information that had been
2 provided by the Registrant about the practices in that one
3 plant were for a plant in Romania that is no longer functioning
4 as a result of their accession to the E.U.

5 Q. I'm not addressing that. My question is addressing
6 your statement, the PMRA statement--

7 A. Mm-hmm.

8 Q. --who you represent, in the REN, not Romania and not
9 anything along those lines.

10 I'm reading from a statement the confidential
11 Affidavit of your colleague Cheryl Chaffey.

12 A. Right.

13 Q. At Paragraph 40 of that Affidavit.

14 A. Right.

15 Q. It's in Volume 1 of 2, Confidential Affidavit of
16 Cheryl Chaffey.

17 Do you have that?

18 A. I don't have it yet.

19 Q. The Affidavit, Paragraph 40.

20 A. 40 A?

21 Q. Four-zero.

22 A. Yes, this is Cheryl's.

23 MR. DOUAIRE de BONDY: It's Paragraph 40, I believe.

24 THE WITNESS: Or, paragraph. I don't know if I've
25 got--this is just the attach--the exhibits.

11:45 1 BY MR. SOMERS:

2 Q. It's right at the front--

3 A. Yeah, oh I see.

4 Q. Under Volume 2. This is volume 1 of 2.

5 A. Okay. Paragraph 40.

6 Q. All right. In that paragraph--do you have it?

7 A. Yes, Paragraph 40, I have it.

8 Q. Okay. And I'm about halfway down that paragraph, and
9 in that paragraph, Ms. Chaffey states: Annex A of this report
10 contains photos of mounds of toxic HCH waste sitting in
11 warehouses in the Netherlands and in Spain, waiting to be
12 buried in highly controlled disposal sites.

13 A. Mm-hmm.

14 Q. In the latter case, at an announced cost of 30 million
15 euros.

16 A. Mm-hmm.

17 Q. When not disposed of in such secure sites, waste alpha
18 and beta HCH generated in lindane production travel through the
19 atmosphere in the North--when not disposed--

20 A. Mm-hmm.

21 Q. When they are, by necessary implication, they do not
22 travel. Would that not be a reported measure that would
23 effectively mitigate the release of waste chemicals produced in
24 the manufacture of lindane, contrary to the statement in the
25 REN?

11:46 1 A. That's possible, but, you know, we are talking about,
2 you know, you know, waiting for disposal, and, you know,
3 personally, I don't have, you know, specific knowledge with
4 respect to how these would actually be disposed of and whether
5 or not there would be any release. But again, it's entirely a
6 secondary issue, it's--

7 Q. Are you prepared to say that the PMRA can resile from
8 its environmental waste isomer concerns in terms of the REN?

9 A. No. What I'm saying is that it's a secondary issue,
10 that the risks associated with the gamma isomer are significant
11 enough to result in, you know, a determination of unacceptable
12 health and environmental risk.

13 Q. And we discussed lindane already.

14 A. Mm-hmm.

15 Q. The REN talks about this as well.

16 A. Right.

17 Q. And it's either worth commenting on, it's either
18 material--

19 A. Mm-hmm.

20 Q. --or it's insignificant.

21 And I put it to you that the PMRA considers it
22 material or they wouldn't have included it in the REN. It's
23 this elusive thing where it's not the driver--

24 A. Yeah.

25 Q. --therefore it's not really important, but it is

11:47 1 important enough to condemn lindane. It is problematic for me
2 and--

3 A. It's entirely like a secondary issue. And in the REN,
4 as a result of the comments that we did receive, and, you know,
5 this version that you're citing from, I don't know if this is
6 the final version of the REN, but, you know, we did indicate in
7 there that the Registrant had provided some information to show
8 that use in cracking process in that plant in Romania could be
9 a possible way of addressing, you know, the release of that.
10 But, you know, there would be no way for us really, you know,
11 to verify that, that statement over time at least, to ensure
12 that that process was actually being applied, and the plant's
13 closed so...

14 Q. Does--

15 A. But if you were to remove that risk, it still doesn't
16 remove the critical risk that we've determined for lindane
17 itself.

18 Q. One of the issues in this hearing is the scientific
19 integrity of the PMRA's process--the Claimant has put that in
20 issue--and in order for us to be able to explore that issue, it
21 is necessary for us to look at conclusions of the REN and to
22 understand why the PMRA reached the conclusions it did.

23 A. Mm-hmm.

24 Q. And so the issue about the release of waste chemicals,
25 if it's noted as one of the unfeasible risk reduction issues is

11:49 1 important to important as to understanding the reason the PMRA
2 got to the decision it does, and that's why I'm belaboring the
3 point.

4 A. It's really just for completeness, recognizing that,
5 you know, lindane has been stored in various sites around the
6 world. It has been released into the environment.

7 But again, it's not the issue that--you know, not the
8 significant issue that we had with respect to lindane. It was
9 more of a secondary issue that, you know, unless you could, you
10 know, address the issues for the gamma isomer, well, the other
11 is purely academic, I think.

12 Q. I'm going to suggest to you that we referred--I'm
13 sorry, let me position you first, to be fair. I'm looking
14 again at Exhibit JW-61.

15 A. One moment, please.

16 Q. It's in the second volume of the Confidential
17 Affidavit of John Worgan.

18 A. JW-?

19 Q. JW-61.

20 A. Six-one, okay.

21 Q. The second page.

22 A. 61, second page. Okay.

23 Right. Second page. Okay.

24 Q. Right.

25 We've looked at this already at the beginning of your

11:50 1 examination?

2 A. Mm-hmm.

3 Q. And when you say that the waste isomer, the waste
4 chemical issue is--

5 A. Oh, sorry, I have the wrong--oh, no, sorry. Okay.

6 So, this is the Briefing Note dated August 31, 2006?
7 Am I looking at the right document?

8 Q. That's exactly the date.

9 A. Right. Okay.

10 Q. The second page.

11 And I'm going to that sentence I took you to before,
12 the last sentence in the third paragraph: This would
13 clarify/substantiate the position taken by the PMRA in 2001 and
14 support the government's position in Court.

15 When you say that the environmental issues regarding
16 the release of waste chemicals was put into the REN for
17 completeness--

18 A. Mm-hmm.

19 Q. --I suggest to you that you're substantiating the
20 position taken by the PMRA in 2001. You're defending that
21 position by including additional statements to the effect that
22 there are no known reported measures which would effectively
23 mitigate the release of waste chemicals, when your colleague
24 has given evidence about secure sites which do not result in
25 the release of waste chemicals.

11:52 1 A. May not.

2 Q. May not?

3 A. But, you know, this--the purpose of including that
4 information was that--and again, it was not the driver at all
5 in the Risk Assessment. Again, it was for completeness. Those
6 issues have been raised in the past. Not to address it
7 somehow, you know, it would raise questions as to what the
8 risks were associated with that.

9 So, but again, you know, I think we are belaboring
10 this because, you know, I wasn't the driver in the Risk
11 Assessment, and its purpose was not to clarify/substantiate the
12 position. It was to be as complete as possible. The
13 Assessments are science-based.

14 Q. Thank you.

15 A. It's not to substantiate something that was taken
16 before necessarily.

17 Q. I see.

18 I'm going ahead now to Paragraph 245 of your first
19 Affidavit.

20 A. Okay.

21 Q. Two paragraphs down from where we--I think, where we
22 just were.

23 A. Paragraph...

24 Q. 245.

25 There, you quote from the 2002 EPA Lindane--

11:53 1 A. Right.

2 Q. Which concluded that, you say--well, I will--I will
3 start at the beginning of the quote that you put in about the
4 RED.

5 A. Mm-hmm.

6 Q. And, in fact, this is a quote from the Addendum--

7 A. Right.

8 Q. --to the 2002 RED. It is the August 2, 2006,
9 document. And there the quote that you've extracted says:
10 This RED Addendum reflects the Agency's conclusions on the
11 remaining lindane seed treatment uses in light of the
12 information gathered since the 2002 RED.

13 A. Right.

14 Q. The seed treatment use is a source of human exposure
15 to lindane, and it will add to the reservoir of lindane already
16 present in the environment.

17 A. Okay.

18 Q. I'm skipping to the next sentence and going to, after
19 the lacuna there: Lindane's persistent and bioaccumulative
20 nature is also of concern to the Agency.

21 A. Right.

22 Q. In addition, the Agency's updated analysis of the seed
23 treatment use indicates very minor benefits to growers.

24 A. Right.

25 Q. The EPA, Mr. Worgan, conducts a cost-benefit or

11:54 1 risk-benefit analysis, doesn't it?

2 A. Yes.

3 Q. Does the PMRA?

4 A. We do an assessment of value, risk from health and
5 environmental point of view, and for registration of an active
6 ingredient--all of those have to be acceptable, so we don't do
7 that balancing of risk versus benefit.

8 Q. Right.

9 A. We cannot use benefit to override risk.

10 Q. Whereas?

11 A. In the U.S., they can consider, according to their
12 legislation, more of the benefits but--

13 Q. And that's what this quote is about, isn't it, where
14 it says analysis of the seed treatment use indicates very minor
15 benefits to growers because the uses have been withdrawn, and
16 so what benefits remain? Isn't that right? There is no
17 benefits to virtually any risk, so almost any risk at all would
18 cause that tilt, so they do, as you said, the comparative
19 analysis of the risk cost benefit.

20 A. Right.

21 Q. Isn't that how you understand that?

22 A. My understanding of that is there are alternatives
23 that are registered, and therefore you know, this has limited
24 benefit to them, to their growers, to the U.S.

25 Q. Isn't alternatives that are registered, isn't that

11:55 1 something that the PMRA does? That's what something you would
2 examine as to whether in the value of a pesticide, there are
3 alternatives available.

4 A. Right. We--

5 Q. That's not the same.

6 A. As parts of our re-evaluation, if we identify risks of
7 concern, we will do some assessment with respect to the
8 availability of alternatives for the purposes of determining,
9 for example, an appropriate phase-out schedule. That would be
10 one of the variables that we would include in there. But, you
11 know, we don't use value or benefit, you know, to override, for
12 example, a risk assessment. They all have to be acceptable.

13 Q. But--and the word "override," you're referring to what
14 the EPA does, in fact, do; is that right?

15 A. No, they just take that into account in their
16 decision-making.

17 Q. Don't they do a risk-benefit analysis?

18 A. They do some risk-benefit analysis, yes.

19 Q. Okay.

20 A. But you know, if there, you know, are significant
21 risks of concern related to, you know, say, dietary risk,
22 they're not going to, you know, factor in the benefits in an
23 assessment like that. Risks would be clearly unacceptable to
24 them.

25 Q. Okay. I'm turning to your second Confidential

11:57 1 Affidavit right now.

2 A. I think I have it here.

3 Q. No, it's the bundle.

4 A. Oh, this one here? Okay, of course, yeah.

5 Q. Thank you.

6 And looking at Paragraph 34, indeed it echos a
7 conversation we had earlier this morning, where you state in
8 the second sentence of Paragraph 34: Canada, indeed, could not
9 agree in the Aarhus negotiations to include lindane.

10 Do you have it?

11 A. I'm sorry, I've got it now.

12 Q. Okay.

13 Canada, indeed, could not agree in the Aarhus
14 negotiations to include lindane on the list--

15 A. Mm-hmm.

16 Q. --of banned products--

17 A. Right.

18 Q. --because it could not legally commit at the
19 international level--

20 A. Yes.

21 Q. --to banning a then-registered product.

22 A. Yes.

23 Q. And we had talked about that.

24 A. Right.

25 Q. And that after the Special Review--and I'm putting

11:58 1 this to you now--after the Special Review and determination of
2 Chemtura's lindane registrations and all others--all other
3 agricultural, anyway.

4 A. Right.

5 Q. --Canada could commit legally at the international
6 level to banning of then registered--not registered product;
7 isn't that right?

8 A. Because we would have done a safety assessment that
9 indicated that the risks are were unacceptable to us.

10 Q. That's why you terminated them.

11 A. Um-hmm.

12 Q. But as far as your legal ability to commit at the
13 international level, that was because there were no registered
14 Lindane Products in Canada?

15 A. Can you repeat that question again, please.

16 Q. In May of this year, Canada, along with other
17 countries--

18 A. Right, at Stockholm.

19 Q. At Stockholm.

20 A. Yes.

21 Q. --agreed to put lindane on Annex A of the Convention
22 which will eventually, when implemented, result in the banning
23 of the use, the distribution, the manufacture of lindane; isn't
24 that right?

25 A. That is correct.

11:59 1 Q. And the only way that Canada could do that
2 legally--and I'm looking at your statement--was because it had
3 no registered Lindane Products in Canada. If Canada had
4 registered Lindane Products in Canada, this--your statement
5 would apply and it could not legally commit to Stockholm in
6 May; isn't that right?

7 A. Again, I'm not totally familiar with all the details
8 with respect to Stockholm. I did not attend--

9 PRESIDENT KAUFMANN-KOHLER: I think we should say,
10 just to be fair to Mr. Worgan, it's actually a legal question
11 what a State can commit on the international level, whatever
12 its internal legislation is.

13 So, you have said legally in your statement, so that's
14 why the question does arise, but you should tell us your
15 understanding. You're not a lawyer. You're a biologist, I
16 understand.

17 THE WITNESS: Right.

18 PRESIDENT KAUFMANN-KOHLER: So, you should give us
19 your understanding in your function at the PMRA, how did you
20 see the legal obligations or the legal possibilities.

21 But then beyond that, I think it would be unfair to
22 extract anything.

23 MR. SOMERS: Thank you.

24 PRESIDENT KAUFMANN-KOHLER: You don't remember the
25 question?

12:01 1 MR. KURELEK: Maybe the question could be repeated
2 because it's not clear to me either.

3 THE WITNESS: Yeah.

4 PRESIDENT KAUFMANN-KOHLER: Mr. Somers, can you
5 restate it, please?

6 MR. SOMERS: Thank you.

7 BY MR. SOMERS:

8 Q. To your knowledge, if registrations for lindane
9 pesticide products remained in effect in Canada, could Canada
10 legally commit at the international level to ban them?

11 A. I think on the--perhaps on the basis of the Risk
12 Assessments that we had done, it's possible; I believe so.

13 Q. Canada could have agreed to ban them internationally,
14 even though they had domestic--we had domestic registrations in
15 Canada?

16 A. Oh, I was thinking in terms of this particular on
17 lindane where we didn't have registrations.

18 Again, I'm not sure I really would be able to comment
19 with respect to the Stockholm Protocol.

20 Q. I'm turning to--I will leave the legal stuff for
21 argument.

22 A. Okay.

23 Q. Thank you very much. I realize I was belaboring with
24 a point that wasn't directly in your core area.

25 A. Mm-hmm.

12:02 1 Q. In Paragraph 80--I'm looking at Paragraph 87 of your
2 second Affidavit.

3 A. Right. Mm-hmm.

4 Q. In heading Roman seven, just before Paragraph 87, you
5 say, "I was not directly involved in the REN scientific risk
6 assessments," which I can't resist: The use of the word
7 "directly" suggests that you were indirectly involved.

8 A. I was not involved in the actual Risk Assessments,
9 except at the very end of the process where the science groups
10 such as health and environment brought forward their
11 Assessments to our Science Management Committee. I'm on that
12 committee. I hear that. We accepted the Risk Assessments that
13 had been done.

14 So, like I have a--my role is basically management of
15 the overall process. You know, that would mean, you know,
16 interaction, you know, with Registrants and managing the data
17 that, you know, comes in and sending off to the evaluation
18 groups.

19 The responsibility for the Risk Assessments groups
20 lies within those other groups and those individuals do not
21 report to me, so I'm not involved--the first time I see those
22 Risk Assessments is essentially when they get brought forward
23 to Science Management Committee for consideration.

24 Q. We heard about that Committee from--

25 A. Oh, okay.

12:04 1 Q. --Mr. Chan this morning.

2 A. Yeah.

3 Q. And so--but I appreciate that.

4 Well, I guess I will take you, if I could, briefly to
5 that. It's included at JW-117 of your second Affidavit.

6 A. Right.

7 Q. This is your Terms of Reference, again.

8 Did I understand you correctly when you said the
9 persons who do the scientific risk agents don't report to you?

10 A. They don't report to me; that's correct. The
11 individuals doing the Health Evaluation Assessments in the
12 environmental evaluation sections are in different directorates
13 than mine. My group manages the overall process, interacts
14 with Registrants and so on, and also, you know, does
15 coordination of, you know, documentation at the end of the
16 process.

17 Q. Would they report to a colleague of yours who sits on
18 the Science Management Committee?

19 A. The--well, depending on what level they are, you know,
20 if there is like an evaluator, they report to a Section Head,
21 and the Section Head to a Director, and then the Directors to,
22 you know, the Director Generals, yes.

23 Q. And so, ultimately, one way or the other, those
24 science Risk Assessments would find its way to the Science
25 Management Committee.

12:05 1 A. That is correct.

2 Q. And the Science Management Committee arrives--and I'm
3 looking at JW-117--discuss the primary role of your Committee
4 is to discuss in order to arrive at consensus decisions on,
5 among other thing, re-evaluations of Pest Control Products.

6 A. That's correct. It's for new products and
7 re-evaluations, among other things.

8 Q. And you, as a member of that Committee, which operates
9 by consensus, ensure that all registration decisions integrate
10 value, health--I'm looking at third bullet--

11 A. Mm-hmm.

12 Q. --and environmental risks based on risk management
13 principles and so forth.

14 A. Right.

15 Q. So, through the Committee, I assert--and I ask you to
16 confirm it--you exercise significant authority on the science
17 and on the process of re-evaluations.

18 A. The focus of the Committee is on risk management. The
19 Risk Assessments lie or the primary responsibility for those
20 lie within the individual Directorates. They have the ultimate
21 responsibility for the Assessments that are done. They get
22 brought forward for discussion, and then if required, risk
23 management is done.

24 In the case of lindane, however, it was a risk
25 assessment that was brought forward. We heard the scientific

12:06 1 evaluators. It was--again, it was an independent scientific
2 team that did the review of this. We heard them, and, you
3 know, we asked questions, and, you know, those Assessments were
4 accepted, those Risk Assessments.

5 Q. And if for some reason you had differed from the
6 decisions of those Risk Assessments, they needn't have been
7 adopted. They could have been changed.

8 A. Not by me, no. It's a consensus decision on the part
9 of the SMC, and we would not overturn a decision based on a
10 risk assessment that had been done by a scientific group.

11 Q. I'm not asking you if you would have. I'm asking if
12 you could have.

13 A. No, no--

14 Q. You could--

15 A. I would not--I would not be able to overturn a
16 decision that had been made by our scientists. I have no
17 authority in that area.

18 Q. I'm suggesting the Committee could, not you.

19 A. The Committee, you know, could ask questions, could,
20 you know, send it back for consideration of something, but, you
21 know, in the end, the DG of Health and DG of Environment are
22 responsible for those--the Risk Assessment. That's their
23 focus. Our focus is more on the risk management and
24 integrating all of these bits and pieces into an integrated
25 decision. But in this case, it was the individual Risk

12:08 1 Assessments that had been done in Health and Environment that
2 were brought to us at the SMC.

3 Q. Your first term of reference and your primary role,
4 according to your Terms of Reference, is to discuss and work,
5 to arrive at consensus decisions on significant registration
6 application, et cetera, et cetera, Special Reviews, and
7 re-evaluations--

8 A. Right.

9 Q. --of pest--you arrive at decisions. You're not merely
10 informed. You actually have to by consensus, as a member of
11 that Committee--

12 A. Right.

13 Q. --arrive at consensus decisions on re-evaluations;
14 isn't that right?

15 A. But the lindane was a Risk Assessment that is brought
16 forward. We did not, you know, like discuss risk management
17 because these products are not registered in Canada at this.
18 So, you know, that's our focus. Our focus is on risk
19 management, after we've heard from the team who are the
20 independent, you know, the scientists that had worked on the
21 Assessments, brought those forward. You know, we can ask
22 questions, but in the end, it is the Health Directorate and the
23 Environment Directorate. They are ultimately responsible for
24 those. We will discuss them, and we will integrate them, but
25 our focus is more on the risk management than the Risk

12:09 1 Assessment.

2 Let me just see where that--and we will talk about,
3 you know, things, you know, such as appropriate phase-out
4 schedules in light of the risk that has been identified and/or
5 value, for example, for products that, you know, are
6 registered, but it's--we deal with the risk management
7 component.

8 Though they came forward, they presented the Risk
9 Assessments, independent group of scientists that had looked at
10 all of this new information that had been provided by the
11 Registrant, had completed their reviews, brought these--had
12 gone through peer-review process within their own Directorates
13 to ensure that the--you know, that the documentation had been
14 peer-reviewed, and then they get brought forward for
15 presentation to SMC, and that's when I see it, and in this
16 case, because it was a risk assessment document, it was not a
17 risk management type document or decision.

18 Q. It was a re-evaluation, wasn't it?

19 A. Yeah. It was a re-evaluation, but, you know, it is a
20 re-evaluation note, but in this case, because there were no
21 registrations, then, you know, we weren't looking at, for
22 example, phase-out plans that would be required.

23 Q. Right.

24 Thank you very much. You have been extremely helpful.

25 A. Thank you.

12:11 1 Q. Thank you for your candor. I appreciate it very much.

2 MR. SOMERS: Thank you, Madam Chair.

3 PRESIDENT KAUFMANN-KOHLER: Thank you.

4 MR. KURELEK: No questions.

5 PRESIDENT KAUFMANN-KOHLER: No redirect questions?

6 MR. KURELEK: No.

7 PRESIDENT KAUFMANN-KOHLER: Any questions from the
8 Tribunal? Yes?

9 Please.

10 QUESTIONS FROM THE TRIBUNAL

11 ARBITRATOR BROWER: You had been taken previously to
12 the document appearing at Tab 61 as an exhibit to your
13 Affidavit. It's in Volume 2 of 2, JW-61.

14 THE WITNESS: Right. Okay.

15 ARBITRATOR BROWER: Were you the author of this
16 document?

17 THE WITNESS: I'm sorry, I did not hear.

18 ARBITRATOR BROWER: Were you the author of this
19 document?

20 THE WITNESS: Of this document?

21 ARBITRATOR BROWER: There's a name of sponsor John
22 Worgan--

23 THE WITNESS: Oh, yeah but that's--

24 ARBITRATOR BROWER: And at the bottom there is Merissa
25 Romano.

12:12 1 THE WITNESS: Romano, who worked for me as a
2 re-evaluation coordination person.

3 These issues are generally brought forward by a
4 sponsor, but in almost all cases it would be the Director
5 General of, you know, the re-evaluation group, or on the new
6 product side, it would be Chief Registrar, one of the directors
7 who would bring it forward, you know, just to get it on the
8 committee as a member, but, you know, did not personally
9 author, you know, this document. I must have reviewed it, but,
10 you know. For example, it says Merissa Romano, who was the
11 author of that particular document. But, you know, just for
12 process, we put the name of the Director General down, you
13 know, to indicate that, you know--

14 ARBITRATOR BROWER: Right.

15 And on the second page, tab at the very beginning,
16 EAD, what does EAD mean?

17 THE WITNESS: EAD is one of the Directorates within
18 the PMRA. It's an Environmental Assessment Directorate. They
19 look after the environmental fate and toxicology studies.

20 ARBITRATOR BROWER: I see. Okay.

21 And then I had a question with respect to your first
22 Affidavit, Paragraph 245 you were taken to.

23 THE WITNESS: Yes.

24 ARBITRATOR BROWER: You were asked about the
25 difference between PMRA and the EPA as regards issues of cost

12:14 1 benefit.

2 THE WITNESS: Right.

3 ARBITRATOR BROWER: My understanding is that the PMRA,
4 even if there were little known benefit to whatever you're
5 reviewing, still must review it.

6 THE WITNESS: If there is limited benefit, yeah, but
7 we would review it. You know, say it was a new product, we
8 would review the--it would come into the Agency through our
9 submission process. We would review the health and the
10 environmental stuff, and then we would also review the
11 efficacy.

12 And, in the end, you know, we could make a
13 determination that it was of limited value when the value was
14 not acceptable for registration. If it's limited benefit. It
15 doesn't work, for example, limited benefit, and we wouldn't
16 register it, but we would review it. It comes in, and we do a
17 scientific assessment because our decisions are based on
18 science.

19 ARBITRATOR BROWER: Okay, thank you.

20 THE WITNESS: If it meets that criteria, then it's
21 acceptable for registration.

22 ARBITRATOR BROWER: That's what I thought. Thank you.

23 THE WITNESS: Right. You're welcome.

24 ARBITRATOR CRAWFORD: Mr. Worgan, what would be the
25 result of your initial review had been if you had chosen a risk

12:15 1 factor of hundred rather than a thousand?

2 THE WITNESS: I--you know not being involved directly
3 in that Assessment, I can't say what it would be, but I would
4 need to verify with the actual documentation.

5 ARBITRATOR CRAWFORD: If you're the wrong person to
6 ask that question, I will ask it to the right person.

7 THE WITNESS: Right. Okay.

8 I'm--I just off the top of my head I cannot answer
9 that question with certainty, and you would need to go to
10 somebody in the Health Environment--Health Evaluation
11 Directorate, or we could find out and get back to you.

12 But there were, you know, in the case of lindane,
13 there were other risks as well associated with this, and all of
14 the risks would need to be acceptable.

15 ARBITRATOR CRAWFORD: The Claimant's case in respect
16 of your reviews--and obviously there were two reviews, the
17 50(1), and the REN after the Board of Review--

18 THE WITNESS: Right.

19 ARBITRATOR CRAWFORD: --is that there were basically
20 foregone conclusions in that you went through the motions of a
21 review but you basically already decided, you being the PMRA,
22 really basically decided that lindane was unacceptable. What's
23 your response to that?

24 THE WITNESS: I totally disagree with that. We took
25 this very seriously, and, you know, we have a scientific

12:16 1 process that has a lot of integrity. We--in this particular
2 case, we assigned a different group of evaluators than those
3 that had worked on the lindane Assessment. We provided them
4 with absolutely no direction with respect to what the outcome
5 should be, what we were expecting. We had no vested interests,
6 for example, in a particular outcome. The science will lead
7 you where the science goes. It was not a foregone conclusion.
8 We had some additional information on the worker exposure side.
9 We had some additional toxicology that our scientists looked
10 at. We also had--we undertook a review of some of the other
11 areas that we had not completed previously. We took all of
12 those into account in the decision. That is definitely not a
13 foregone conclusion.

14 ARBITRATOR CRAWFORD: I take you to Paragraph 122 of
15 the Board of Review Report.

16 THE WITNESS: That's Tab 30?

17 ARBITRATOR CRAWFORD: It's JW-30 in your Witness
18 Statement.

19 THE WITNESS: Right.

20 ARBITRATOR CRAWFORD: I'm going to read the whole
21 paragraph and then I'm going to ask you to comment on it.

22 THE WITNESS: Which paragraph is that?

23 ARBITRATOR CRAWFORD: Paragraph 122.

24 THE WITNESS: Okay. One moment.

25 ARBITRATOR CRAWFORD: "The Board appreciates that at

12:18 1 least some of the concerns raised by PMRA in its review, most
2 notably issues related to the sensitivity of the young, might
3 give rise to concerns of an imminent nature."

4 THE WITNESS: Okay.

5 ARBITRATOR CRAWFORD: "Notwithstanding that, the Board
6 is of the view that given the timing of the announcement of the
7 outcome of the Special Review by PMRA, and the limited use
8 season for lindane, other options for effective control could
9 have been invoked in the short term."

10 THE WITNESS: Mm-hmm.

11 ARBITRATOR CRAWFORD: "This, in the Board's opinion,
12 was a major flaw in the process, leading to an unsatisfactory
13 result. Addressing mitigation, in the Board's opinion, is
14 fundamental to conducting a robust scientific inquiry leading
15 to regulatory decision. It is clear to the Board that this did
16 not occur in the case of lindane."

17 Would you comment on that.

18 THE WITNESS: Well, you know, in terms of the
19 negation, I spoke about that previously. We did in the
20 Occupational Exposure Assessment done in 2001, you know,
21 consider the mitigation that had been used in the Dupree study,
22 these different levels of plants--small, medium and large--some
23 of them closed systems. We took that into account.

24 We also took into account, you know, as I say, we did
25 that quick calculation to see what the margins of exposure, the

12:19 1 safety levels would be. We used the Helix Study, which was
2 done in a more closed system and small number of plants in
3 Canada, still we had unacceptable risk.

4 And one of the other areas as well was the Registrants
5 had proposed a respirator and some other mitigation measures,
6 but, you know, after they had received the Assessment for
7 comment, and we took that into account, but it didn't really
8 change the results of the Assessment.

9 Whether or not the--you know, the timing of the
10 announcements and the limited use season, you know, we at that
11 time, you know, it was fairly common practice, you know, for
12 the Active ingredients where we had risk concern to give
13 relatively short periods of time for consultation. In this
14 case, you know, it's an active ingredient for which there had
15 been a number of concerns identified internationally on
16 occupational exposure. You know, they should have known that,
17 you know, there were risks of concern here and come in, if they
18 felt it was appropriate, with additional mitigation, above and
19 beyond what we had already proposed. It's the responsibility
20 of the Registrant. The onus is on them to defend their
21 products, and we assessed it based on the use pattern that was
22 existing at the time.

23 ARBITRATOR CRAWFORD: You don't agree that there was a
24 major flaw in the process.

25 THE WITNESS: I don't think so, no. I think that, you

12:21 1 know, again like for the Board of Review, they said, well, you
2 know, why--you know, what do you do now? And I said, well, now
3 we have a slightly longer comment period; it's 45-60 days,
4 depending on the Active ingredient and, you know...

5 Were we perfect? No, we weren't perfect. Was it
6 reasonable at the time in light of the risks that had been
7 identified? Yes, we felt it was.

8 ARBITRATOR CRAWFORD: As I read that paragraph, it's
9 concerned with the problem of imminence--

10 THE WITNESS: Mm-hmm.

11 ARBITRATOR CRAWFORD: --and what might be described as
12 the transition. It refers to options for effective control
13 which could have been invoked in the short term.

14 THE WITNESS: Mm-hmm.

15 ARBITRATOR CRAWFORD: Do you have any comment on that
16 aspect of that paragraph? I mean, it seems to me, reading the
17 Board of Review Report--

18 THE WITNESS: Right.

19 ARBITRATOR CRAWFORD: --that it's probably the most
20 serious criticism made. I'm interested in the sentence which
21 refers to effective control being invoked in the short term.

22 THE WITNESS: Well, the effective controls would need
23 to be in the form of, you know, something like personal
24 protective equipment and, you know, clothing, and, you know, we
25 did, you know, consider those kinds of protective measures,

12:22 1 but, you know, still found that, you know, the risks were
2 unacceptable, and, you know, given, you know, this what we felt
3 was like, you know, a concern, a significant concern around
4 the, you know, endocrine disruption potential of the lindane,
5 we felt that, you know, we needed to propose a phase-out.

6 And, you know, that was borne out by the follow-up
7 review, which was done by another group of independent
8 scientists within the PMRA.

9 ARBITRATOR CRAWFORD: Thank you, Mr. Worgan.

10 THE WITNESS: You're welcome.

11 PRESIDENT KAUFMANN-KOHLER: I'd like to follow up on
12 one of the questions of Professor Crawford.

13 I have trouble reconciling different elements that I
14 gather from your testimony and from the record generally. On
15 the one hand, you say--and there is a number of elements on
16 record that show that there was a scientific Risk Assessment,
17 and what dictated the outcome was science.

18 THE WITNESS: Right.

19 PRESIDENT KAUFMANN-KOHLER: On the other hand, there
20 are elements that seem to indicate that there was a--"bias" may
21 be too strong a word, but inclination by the PMRA that was
22 opposed to lindane. And for instance, if I go back--there are
23 different documents that could be interpreted that way. I'm
24 not saying they should be, but I'm just asking you. The
25 document, for instance, that's JW-61, that is this memorandum

12:24 1 of August 31, 2006, for which you sign as sponsor. You're not
2 the author, but you're the sponsor, so you are responsible for
3 it.

4 When it says, "We have to complete the Assessment of
5 Lindane, and this would clarify/substantiate the position taken
6 by the PMRA in 2001 and support the Government's position in
7 Court."

8 So, you do not envisage that the outcome this time of
9 the Risk Assessment may be different, for instance, because you
10 don't use 1,000 as a risk factor but some other factor.

11 THE WITNESS: Right.

12 PRESIDENT KAUFMANN-KOHLER: You consider that the
13 completion of the Assessment will substantiate your previous
14 position. That's one example.

15 THE WITNESS: Right. Right.

16 PRESIDENT KAUFMANN-KOHLER: I could show you others,
17 but that's not my point now going through the documents. I'd
18 like to have your explanation on how do I reconcile these
19 apparently contradictory elements that I see at this stage.

20 THE WITNESS: Yeah--and I--you know, and I think that
21 sentence, "clarify/substantiate," maybe that was a poor choice
22 of words, but again, I was not responsible for the Risk
23 Assessments and had absolutely no bias--

24 PRESIDENT KAUFMANN-KOHLER: You were responsible for
25 these words, and words are rarely innocent.

12:25 1 THE WITNESS: Yeah. But our scientists, they
2 work--the integrity of the regulatory process is very important
3 to them, as it should be, and our decisions are based on
4 science--the best available science that we can possibly have.
5 They don't go into this with a preconceived notion because that
6 would impact on the integrity of the process. The PMRA, as a
7 regulatory agency, I think, is held in high esteem
8 internationally, and that's one of the reasons why EPA and, you
9 know, other regulatory agencies in Europe want to work with us,
10 because, you know, they see the quality of the work we do.

11 Our scientists--they have no bias going into this. It
12 is what it is at the end of the day in terms of Risk
13 Assessment. If it comes out acceptable, it's acceptable. If
14 it doesn't, you know, that's the only way that you can have a
15 system that, you know, has integrity. And our scientists, I
16 believe, take their role very seriously. They want to have the
17 best science possible supporting registration decisions and
18 re-evaluations with an eye to protection of human health and
19 the safety. And that's the way you get that, is through the
20 best science available.

21 PRESIDENT KAUFMANN-KOHLER: Now your scientists, of
22 course, don't work in isolation. I mean, there was a general
23 movement in the world that went against lindane. How does this
24 play in the scientific assessment?

25 THE WITNESS: They look at--they look at the

12:27 1 information that they've got. In the case of, you know,
2 lindane, they looked at a number of things. They looked
3 at--they focused on the science and not on the politics and not
4 on all of the stuff on the side. They look at the available
5 data. They look at the reviews that had maybe been done by
6 other international regulatory agency, but from a scientific
7 point of view, and we make our own independent scientific
8 decisions on the basis of a scientific assessment and applying
9 our own standards and principles to that, like it's not, you
10 know--

11 Just because one agency has made a decision, it
12 doesn't mean that necessarily we will arrive at the same
13 decision. You know, in the case of the U.S. EPA, in many
14 cases--in most cases, I'd say that decisions are essentially
15 harmonized, but they're not entirely harmonized maybe in terms
16 of the mitigation measures. So, most of the time we can work
17 around some of the policy differences, but there are times when
18 we apply different standards, you know? These are normal--

19 PRESIDENT KAUFMANN-KOHLER: In science there is a part
20 of judgment.

21 THE WITNESS: Of course there is judgment. There has
22 to be. It's not just a mathematics or numbers. You have to
23 look at the weight of the evidence, basically, and that's where
24 the judgment comes in, you know, and our toxicologists and
25 exposure assessors and...

12:28 1 PRESIDENT KAUFMANN-KOHLER: Thank you.

2 Any further questions? Yes, Mr. Douaire de Bondy?

3 MR. DOUAIRE de BONDY: Thank you, Madam Chair. Could
4 I just ask a quick redirect arising out of the question
5 Professor Crawford put to the witness?

6 PRESIDENT KAUFMANN-KOHLER: Yes, I think you can.

7 And if you have something to follow up, you will get a
8 chance as well.

9 MR. DOUAIRE de BONDY: Thank you.

10 REDIRECT EXAMINATION

11 BY MR. DOUAIRE de BONDY:

12 Q. John--Mr. Worgan, could you please go back to Tab
13 JW-30 of your first Affidavit. It's Volume 1 of 2.

14 A. Right.

15 Q. And please go back to the paragraph that Professor
16 Crawford brought to you--brought you to. It's Paragraph 122.

17 A. Um-hmm. Thank you. Um-hmm.

18 Q. Just take your time. So, you're at the paragraph.

19 A. Right. I have it here.

20 Q. Okay. Now, Professor Crawford directed your
21 attention, in particular, to the second sentence of that
22 paragraph, which says: "Notwithstanding that, the Board is of
23 the view that, given the timing of the announcement of the
24 outcome of the Special Review by PMRA and the limited use
25 season for lindane, other options for effective control could

12:29 1 have been invoked in the short term."

2 I'm just wondering, in the first place, could you
3 remind us the date of release of the outcome of the Special
4 Review, at least when you released it in draft to the
5 stakeholders.

6 A. In--on October 30, I believe, 2001, it was released to
7 the Registrants.

8 Q. Right.

9 A. And we had a conference call with them at that time.

10 Q. Right.

11 And are you aware of the fact that, under the terms of
12 an agreement of voluntary withdrawal, there had been a
13 phase-out date for the use of canola in Canada?

14 A. Yes.

15 Q. Do you recall what that date was?

16 A. July 2001, I think.

17 Q. That's correct, July 1st, 2001.

18 A. Yeah. Okay.

19 Q. So, at the time your Risk Assessment was issued in
20 October of 2001, would the main use of lindane in Canada have
21 actually been--this use on canola had been on the market, or
22 had it been phased out?

23 A. No, it would have been phased out--date of last use,
24 that's true.

25 Q. So, when the Board is talking here about other options

12:31 1 for effective control could have been invoked in the short
2 term, what sorts of--I mean, are we talking about a big
3 market--are we talking about...

4 A. No. It's--the bulk of the market was canola. To my
5 understanding, it's a very small percentage that was, you know,
6 for the other seeds.

7 Q. So, at this point the bulk of the market had already
8 been eliminated for lindane?

9 A. That is correct.

10 Q. Through the Voluntary Withdrawal Agreement?

11 A. Through the Voluntary Withdrawal Agreement.

12 Q. Thank you.

13 A. You're welcome.

14 MR. DOUAIRE de BONDY: Those are my questions.

15 PRESIDENT KAUFMANN-KOHLER: Nothing further? No?

16 MR. SOMERS: No thank you, Madam Chair.

17 PRESIDENT KAUFMANN-KOHLER: Fine.

18 Then that closes your examination. Thank you very

19 much--

20 THE WITNESS: Okay, thank you.

21 PRESIDENT KAUFMANN-KOHLER: --for your explanation,
22 Mr. Worgan.

23 THE WITNESS: Thank you.

24 (Witness steps down.)

25 PRESIDENT KAUFMANN-KOHLER: So, now we will take a

12:31 1 one-hour lunch break. Remember that you are to discuss the
2 question of the direct examination of expert witnesses and get
3 back to us this afternoon, if possible.

4 MR. DOUAIRE de BONDY: Madam Chair, we actually had a
5 quick opportunity to discuss this, and having discussed this
6 with the Claimant, Canada has agreed that we'll proceed with
7 the process which the Tribunal laid out yesterday evening so
8 that the examinations would be essentially the few warm-up
9 questions.

10 PRESIDENT KAUFMANN-KOHLER: So, same rule for experts
11 as for fact witnesses.

12 MR. SOMERS: Yes.

13 PRESIDENT KAUFMANN-KOHLER: Agreed?

14 MR. SOMERS: Yes. Thank you.

15 PRESIDENT KAUFMANN-KOHLER: Fine. Then have a good
16 lunch.

17 (Whereupon, at 12:32 p.m., the hearing was adjourned
18 until 1:35 p.m., the same day.)

19

20

21

22

23

24

25

13:34 1 Declaration that is in front of you on the table.

2 THE WITNESS: I'm aware that in my examination I must
3 tell the truth. I'm also aware that any false testimony may
4 produce severe legal consequences for me.

5 PRESIDENT KAUFMANN-KOHLER: Thank you.

6 Now, you will first be asked a few questions as
7 introduction by Canada's counsel, and then you will be asked
8 questions by Mr. Bedard, is your name?

9 MR. BEDARD: Bedard, yes.

10 Madam President, rather than interrupt Ms. Shaker, I
11 think I would like to comment that we've just received the 16
12 Tab binder for direct examination, and I would just like to
13 reiterate our position, and I believe the Tribunal's position
14 that Section 54 of the first procedural order does not
15 contemplate extensive direct examination. I think we are all
16 aware of that, but I just rather than interrupt, I thought I'd
17 raise that now.

18 PRESIDENT KAUFMANN-KOHLER: Thank you.

19 MS. SHAKER: Thank you, Madam Chair. I'm aware of
20 that. I apologize. Those binders were put together before
21 that discussion took place. I will not be going through all 16
22 tabs. The reason I gave it to you is just in case I refer to
23 it in redirect, if that's all right.

24 PRESIDENT KAUFMANN-KOHLER: If that's the case, then
25 that's fine. And you can proceed.

13:36 1 MS. SHAKER: I'm Yasmin Shaker, and, Mr. Zatylny, I
2 will be asking you some questions.

3 DIRECT EXAMINATION

4 BY MS. SHAKER:

5 Q. Three introductory questions this afternoon before I
6 pass you over to Mr. Bedard.

7 Before I begin, is there anything you wish to correct
8 in your Witness Statements?

9 A. Yes, there is.

10 Q. And what would that be?

11 A. In Paragraph 45 of my second Affidavit, I referred to
12 a letter that I mistakenly assumed I received from Bill
13 Hallatt. This, in fact, was an internal Chemtura document. I
14 never did receive this letter.

15 Q. Can you turn to that in your second Affidavit to
16 confirm that. I think that's your first. No, your second
17 Affidavit. It's at TZ-43.

18 And in the witness bundle it's actually Tab 1.

19 A. Okay. Could I look at the witness bundle.

20 Yes, this is it.

21 Q. Thank you. Is there anything else you would like to
22 correct about your Witness Statements?

23 A. No, there is not.

24 Q. Okay.

25 PRESIDENT KAUFMANN-KOHLER: Can I just ask a

13:37 1 clarification. So, you did not receive the letter from Bill
2 Hallatt of November 26, '98?

3 THE WITNESS: No, I believe I did not.

4 PRESIDENT KAUFMANN-KOHLER: And you did not call him
5 on the basis of the letter because the paragraph was on the
6 letter prompted a phone call.

7 THE WITNESS: I did call him, but it was on a
8 different matter. It was not in reference to this letter. On
9 November 26, I always received from Bill Hallatt a draft of the
10 Press Release, so I had his comments to our Press Release, so I
11 did talk to him at that time period, but not specifically about
12 this.

13 PRESIDENT KAUFMANN-KOHLER: So, it was not prompted by
14 this letter?

15 THE WITNESS: Yes.

16 PRESIDENT KAUFMANN-KOHLER: Thank you.

17 BY MS. SHAKER:

18 Q. I think it would be quite useful to the Tribunal if
19 you could expand a little bit more from your Witness Statements
20 on the nature of the CCC as an organization. So, in that
21 respect I'm just going to ask you two introductory questions.

22 A. Okay.

23 Q. The first is: Can you explain to us how the CCC is
24 structured.

25 A. Okay. I will start with the grower component.

13:38 1 The Canadian canola growers are structured
2 provincially, so they are under provincial mandate as an
3 association that has a refundable checkoff. Checkoff is
4 usually about a dollar a tonne that's collected when the farmer
5 sells his canola and goes into the association.

6 So, each provincial association is under provincial
7 mandate, so they have--each member is a voting member, and they
8 elect a Board of Directors. Each provincial association then
9 falls under the umbrella organization the Canadian Canola
10 Growers Association, which represents all of the canola growers
11 collectively in Canada.

12 The Canadian Canola Growers Association then appoints
13 board members to the Canola Council of Canada. So it's really
14 a pyramid structure from the ground root organization to the
15 Canola Council.

16 Other members of the Canola Council are the exporters.
17 They export canola to other countries, and the crushers. So,
18 those three groups have equal voting right on the Board of
19 Directors of the Canola Council. The chairmanship of the
20 Canola Council is rotated between the growers, the exporters,
21 and the crushers, and then there are staff members like myself
22 who was working for the Canola Council at the time.

23 Q. Thank you.

24 The second and last question is how did the canola
25 industry come to the decision to pursue a Voluntary Withdrawal

13:40 1 Agree initiative?

2 A. The Canola Council is a body that as its mandate has
3 the common interests as the canola industry as its primary
4 reason for existing. When we received the letter like we did
5 from one of our key customers, Procter & Gamble in early 1998,
6 that prompted a series of activities. The information would be
7 diffused back down to each of the growers association, the
8 crushers and the exporters. They would look at within their
9 Committee structure and debate, discuss, and bring back to the
10 Canola Council is this important or not.

11 So, from a ground roots perspective, they would look
12 at individually and come together in an organization like the
13 Canadian canola growers to decide on what course of action
14 should be taken.

15 So, from that perspective, each of the provincial
16 organizations essentially has a veto vote. If one Province
17 does not agree with the recommendation of the others, then it's
18 generally a no-go, so it's every--every organization has equal
19 rights within the Canadian Canola Growers Association and the
20 council to support or reject any action.

21 So, in this case, a big issue like the lindane
22 Voluntary Withdrawal Agreement would require a huge amount of
23 agreement and consensus building among each of the groups to
24 result in a decision.

25 Q. Thank you very much. That's all my questions for now.

13:41 1 Mr. Bedard.

2 ARBITRATOR CRAWFORD: Follow-up.

3 The Canola Council of Canada doesn't include, for
4 example, producers of canola seed.

5 THE WITNESS: It includes growers. It includes
6 crushers and exporters.

7 Now--and it's an interesting debate that we had at the
8 time. Canola, one of the quirks is canola seed refers to both
9 the seed for planting and the seed for consumption, so it was
10 always a very difficult point of clarification, and we need to
11 be very specific what we are talking about, seed for
12 consumption or seed for planting.

13 And in the case of seed for planting, those are also
14 canola growers. They have their separate organization, the
15 Canadian Seed Trade Association, but as growers, they would
16 also fall under the Canadian Canola Growers Association as
17 well.

18 I hope that answers your question.

19 ARBITRATOR CRAWFORD: Probably tells me more about the
20 structure of seed producing than I need to know.

21 The suppliers, for example, the Claimant was not a
22 member of the CCC?

23 THE WITNESS: They would not be a direct member of the
24 CCC, but they can get involved through the Committee structure.
25 It's a voluntary Committee, so we have had many initiatives

13:43 1 where it's an open invitation to any stakeholder who is
2 interested.

3 So, at the Committee Level the Claimant could
4 certainly get involved in the decision-making process like
5 that. And they often did in this matter and others as well.

6 PRESIDENT KAUFMANN-KOHLER: So, now I can turn to
7 Mr. Bedard.

8 MR. BEDARD: Thank you, Madam President.

9 CROSS-EXAMINATION

10 BY MR. BEDARD:

11 Q. Mr. Zatylny, my name's Ben Bedard. I'm here on behalf
12 of Chemtura, and this is actually where I wanted to start, so
13 maybe we could continue because you have given us a lot of
14 information, but I would like to pursue it a bit more.

15 Some letters were sent out from CCGA, some letters
16 were sent out from CCC. If I look at the two letters and I
17 went to 167 Lombard Avenue, Suite 400, I think I'd enter the
18 office of both organizations; is that right?

19 A. At the time you would have. At some point the
20 Canadian Canola Growers Association formed their own office in
21 Manitoba, so they moved over to the office.

22 By the time--especially in my role, I was VP of the
23 Canola Council and Secretary-Treasurer of the Canadian Canola
24 Growers Association. As a service we provided as Canola
25 Council.

13:44 1 So, at that time both parties were interested in the
2 issue.

3 Q. Okay. And just to go back to your opening comments,
4 who you say exporters, who are these entities and what are they
5 doing as a constituent of the CCC?

6 A. Exporters. Okay. Sorry. The exporters are grain
7 companies that purchase canola for consumption from growers and
8 export it to Japan, the United States as full seed.

9 Q. Okay. They're not processing?

10 A. They're not processing.

11 Q. They're pure exporters?

12 A. They're pure exporters, yes.

13 Q. And so the three subgroups under CCC, exporters,
14 crushers, and the CCGA each had an equal number of Directors?
15 Is that what you said?

16 A. That's correct. Yes.

17 And essentially each had a veto vote, so if they
18 didn't support an initiative, they could veto it. And if the
19 other two members decided to proceed, it would be outside the
20 Canola Council essentially, so everybody was treated equally
21 within the membership.

22 Q. Okay. And then the CCGA, which was one-third of the
23 CCC, was itself composed of the four or five provincial Canola
24 Associations?

25 A. That is correct.

13:45 1 Q. And those associations were--were they set up by
2 provincial statute, for example?

3 A. They are under provincial statute.

4 Q. Okay. And each of the five, if it's five, have an
5 equal vote to the CCGA?

6 A. That is correct. And again, they have a veto vote,
7 that although all the associations are run under Robert's Rules
8 of Parliamentary Procedure, there is always a vote before the
9 vote, and they would ask if everybody is going to agree. And
10 generally, if there is disagreement, a matter would be tabled
11 and put back to Committee quite often.

12 So, if you look at the votes recorded during my tenure
13 there, essentially they're all unanimous votes.

14 Q. Okay. And for the provincial organizations, how would
15 the Board of Directors, let's take Saskatchewan, how would the
16 Saskatchewan canola growers Board of Directors be elected?

17 A. They would--each Province is split into regions, so
18 there would be a Director from each region, and generally the
19 Board of Directors would elect the Chairman for that year and
20 appoint directors to chair committees.

21 Q. Sorry, did you say generally?

22 A. Yeah.

23 Q. So, we've got a Province that has regions?

24 A. Right.

25 Q. Each region has a Director?

13:47 1 A. Right.

2 Q. And how is each Director elected?

3 A. Each Director is elected in his region by nomination
4 and vote at the annual general meeting, and then each of the
5 Directors in their first Board meeting would choose a chairman.

6 Q. Okay. And that election in the region is where canola
7 growers vote?

8 A. Yes, exactly.

9 Q. Okay. And the refundable checkoff, how was that
10 levied and collected?

11 A. The levy is collected at the point of sales, so if a
12 farmer delivers his canola to an elevator company, they would
13 collect the levy. If he delivers to a crusher, they would
14 collect the levy. And so, every six months there would be a
15 tally of the canola delivered, and the grain companies or the
16 crushers would reimburse or pay the provincial association.

17 Q. So, those funds would go to provincial associations?

18 A. Correct.

19 Q. Who moved some portion of that up to CCGA?

20 A. They would move it up to the CCGA, and would also fund
21 activities of the Canola Council of Canada.

22 Q. And would the council also get funding from the other
23 two subgroups?

24 A. Yes, exactly. The exporters and crushers would also
25 have a voluntary checkoff that they would make those funds

13:48 1 available to the Canola Council.

2 Q. Okay. And that's based on sales, essentially?

3 A. Based on sales, yes.

4 Q. Okay. And I believe you were starting to talk about
5 decision making and major decisions, so we will get sort of
6 into the Withdrawal Agreement, but a decision like that, a
7 decision for the organization and the industry to move forward
8 with an agreement like that, who is voting on that? How is
9 that decision being made?

10 A. The decision would be made by the elected officers, so
11 essentially the Board of Directors of each canola region would
12 either have a public meeting or make the decision within their
13 Board. So both are very common. They sometimes have a
14 plebiscite, which is they will send out a newsletter, a request
15 for a vote, so they actually have quite a number of mechanisms
16 for making a decision. In this case I think it was the board
17 of each provincial organization that ultimately worked through
18 some individual system to come to a conclusion.

19 Q. Okay. Was there a vote by the CCC Board of Directors
20 to go ahead with the Withdrawal Agreement?

21 A. There was.

22 Q. And was there a vote by the Board of Directors of the
23 CCGA?

24 A. There was.

25 Q. And you're saying there would have been a vote by the

13:50 1 provincial organizations as well?

2 A. Yes.

3 Q. And sometimes in the documentation it describes the
4 Withdrawal Agreement as a CCC-driven initiative?

5 A. Right.

6 Q. If I take your evidence correctly, CCC and CCGA were
7 for all intents and purposes acting as one?

8 A. In this particular issue, they're aligned. And if you
9 look at the policy statements of the Canola Council of Canada,
10 they refer to a policy that they will not support any pesticide
11 registered in Canada for which there is no registration in the
12 U.S. or no tolerance in the U.S.

13 And the canola growers had a similar type of policy.

14 So, in this case, the growers--at the Board of
15 Directors of the Canola Council of Canada, they looked at who
16 should take a lead position on this issue, and the crushers and
17 exporters and the growers agreed that the growers had the
18 highest stake in this issue, so they would take the lead on
19 this particular issue.

20 In other issues, it's the exporters or the crushers
21 that will take the lead. This one, the Board felt that the
22 canola growers had the most to gain or lose.

23 Q. Did I understand correctly you said both the CCC and
24 the CCGA had a policy--

25 A. Yes.

13:51 1 Q. --to encourage members not to use pesticides not
2 registered in the U.S.?

3 A. Well, and the Canola Council of Canada is even more
4 specific. It says they will not support registration of a
5 pesticide in Canada that is not registered in the U.S. or has
6 no tolerances in the U.S., so it's quite specific.

7 Q. And if the Council--I realize now you haven't been
8 with the Council for a while, but if the Council is aware of an
9 application in Canada for a product that's not being pursued
10 simultaneously in the U.S., will they actively get involved
11 either to oppose that application or to strongly pressure that
12 Applicant to go to the U.S. and get registered?

13 A. Well, I think that's the key that the Canola Council
14 has no regulatory authority, so all it can do is influence the
15 Registrants to pursue a registration.

16 And since these documents are public, we hope that
17 each of the Registrants would know that this is a standing
18 policy of the Canola Council of Canada.

19 Q. Mr. Zatylny and Madam President and members, I'm going
20 to be mostly, if not almost entirely, looking at the affidavits
21 of Mr. Zatylny, first and, second. Mr. Zatylny, if we could
22 just flip quickly to your first Affidavit--sorry, second
23 Affidavit.

24 A. Okay.

25 Q. Paragraphs 16 and 17, I'm just looking here--again,

13:53 1 this is kind of--it appears from the documents that one day
2 it's CCC and the next day it's CCGA, so at the bottom of
3 Paragraph 16, for instance, by mid-October, the CCGA formally
4 indicated to the PMRA that it had been in discussion with the
5 Registrants.

6 Next sentence, "In the meanwhile at the CCC's request,
7 the PMRA advised the EPA of our planned action."

8 A. Sorry?

9 Q. That was Paragraph 16 of your second Affidavit.

10 A. 16. Okay. What page number?

11 Q. It's page number six.

12 A. Okay. So we are not quite aligned here, by the looks
13 of it.

14 Q. Of your second Affidavit.

15 Paragraph 16. Does it start in September 1998?

16 A. No, it doesn't.

17 Q. I have the second Affidavit of Mr. Zatylny.

18 A. Okay. Very good.

19 Q. Now, I'm very curious as to what your Paragraph 16
20 says.

21 Towards the end of the Paragraph 16, it says, "By
22 mid-October the CCGA formally indicated to the PMRA that it had
23 been in discussion with the Registrants." The next sentence
24 is: "In the meanwhile at the CCC's request, the PMRA advised
25 the EPA of our planned action."

13:54 1 A. Correct, right.

2 Q. Was there a formal distinction between what CCC was
3 doing and what CCGA was doing?

4 A. No, there is no difference. At this point we are
5 acting as one. Both the CCC and the CCGA had come to the
6 conclusion this was an issue that was of significant importance
7 that it really didn't matter whose letterhead we were going on.

8 We tried to make sure that anything that, for example,
9 Jean Dextrose was signing was on CCGA letterhead, and notes
10 that I was more responsible for doing was on CCC letterhead.

11 But both--the information was shared equally between
12 CCGA and CCC.

13 Q. And I think you only had a Canadian Canola Council
14 E-mail address because you used that address on CCGA
15 letterhead?

16 A. Yes.

17 Q. You touched on this point. The CCC--neither the CCC
18 nor the CCGA had any control or authority over its members.

19 A. Correct.

20 Q. Growers were and are free to use whatever products
21 they choose.

22 A. That is correct.

23 Q. And, therefore, neither the CCC nor the CCGA could
24 have forced Registrants to stop using something or to start
25 using something for that matter?

13:56 1 A. Correct, yes.

2 Q. And growers presumably grow canola to make money and
3 generally would be inclined to use the products that are most
4 effective and most cost-effective for them.

5 A. I'm not sure I entirely agree with that statement.

6 In the seed treatment area for canola seed in
7 particular, a big part of the decision making is--resides at
8 the seed company level. After I left the Canola Council, I
9 worked for Dow AgroSciences as Product Manager for their canola
10 seed business. In 2000, for example, I made the decision to
11 treat all of my seed with Helix, so any farmer who chose to
12 purchase my seed had no choice on the seed treatment that they
13 were getting.

14 And at the time I would say 90 percent of the seed the
15 farmers are purchasing came from a seed company, and some
16 companies would offer a choice of one or two seed treatments.
17 Other companies, like the one I was working with, we only
18 offered one seed treatment.

19 So, to say the farmers could choose whatever they
20 wanted, not necessarily a simple question.

21 And generally, I don't agree with the statement the
22 farmers always buy the cheapest product. There is no evidence
23 to support that. There's lots of factors go into decision
24 making, including warranty that comes with the product.

25 Q. The efficacy?

13:57 1 A. The services, the efficacy, the recommendation of a
2 dealer, another farmer, an influencer, quality, the reputation
3 of a company, the health risks associated with using a product.

4 So, I don't think it's a simple question to say
5 farmers will always choose the cheapest product available.

6 Q. Sorry, I didn't mean to say that would be their only
7 decision.

8 Getting back to your earlier point in that answer,
9 certainly the CCG--neither the CCC nor the CCGA had any
10 authority or control over seed treaters?

11 A. Correct.

12 Q. Seed treaters can use whatever product they choose?

13 A. Right. Anything generally speaking, anything that's
14 registered, has a label, can be used by growers or seed
15 treaters.

16 Q. Prior to joining the CCC, you worked in the pesticide
17 industry?

18 A. That's correct.

19 Q. And that was for another Dow, a Dow predecessor?

20 A. Yes.

21 Q. And when you joined CCC, you were working in matters
22 related to pesticides relating to canola?

23 A. Correct.

24 Q. And at this time, by which I mean '97-'98, what
25 percentage of the Canadian canola industry, Canadian canola

13:59 1 growers were using lindane seed treatments on their canola?

2 A. The majority of farmers would have a lindane-based
3 seed treatment on their seed.

4 Q. The vast majority?

5 A. As much as was available from seed companies. And
6 again, I think that was probably closer to 90 percent of the
7 seeds, so the other 10 percent, whether they used a seed
8 treatment or not, I can't say.

9 Q. And of those using a seed treatment for their crops,
10 do you know what percentage would have been coming from
11 Uniroyal at the time than Crompton?

12 A. I don't have information on that.

13 Q. They would have been the largest?

14 A. I would assume so.

15 Q. If we go now to your first Affidavit.

16 A. Okay.

17 Q. Your Paragraph 7 says, I hope, halfway through the
18 paragraph, "Even before lindane on canola became a specific
19 problem, the CCC and CCGA were aware of the possibility of
20 Canadian canola losing access to the United States because of
21 the pesticides used in Canada and not registered in the U.S."?

22 A. Correct.

23 Q. That issue related to a range of pesticides, not just
24 lindane.

25 A. Exactly.

14:00 1 In 1997, one of the Board members of the Canola
2 Council of Canada asked me and asked us specifically to look at
3 what the impacts of the Food Quality Protection Act would be on
4 the canola industry. So, as early as mid-1997, we began to
5 look at what was the impact--sorry, by early 1997, by the start
6 of the year, we were already examining the impact that the Food
7 Quality Protection Act in the U.S. could have on the canola
8 industry.

9 Q. And prior to that activity, did you have any special
10 knowledge of U.S. food or agriculture legislation?

11 A. At that point, we were not concerned about the U.S.--I
12 shouldn't say that. There are certain elements of food quality
13 we were aware of, but not from a production perspective.

14 Q. By which you mean...

15 A. So I mean, for example, oil quality and all of
16 those--we were very interested in what the U.S. rules were, but
17 as far as pesticides go, really until prompted by our Board, we
18 weren't involved in any issues related to harmonization or
19 pesticides in general.

20 Q. In Paragraph 11, we don't need to read it, but
21 resulting from this new rule you took on, you say, "I make
22 contact with Wendy Sexsmith at the PMRA."

23 A. Correct.

24 Q. Was that your first interaction with Ms. Sexsmith?

25 A. Yes, actually it was.

14:02 1 Q. And was that 1997?

2 A. That would be 1997.

3 Q. Okay. And if we go to Paragraph 13 of that statement,
4 this is what you alluded to, I believe, in April 1997. You
5 say--

6 A. Yes.

7 Q. --canola stakeholders came together for a meeting to
8 develop a framework?

9 A. Yes.

10 Q. Do you recall who attended that meeting?

11 A. It was--well, not as many people as were expected to
12 attend because it was the storm of the century in Winnipeg.
13 Anybody who flew in on the night before was able to get to the
14 meeting. All the locals who had to drive in could not attend.
15 So, I was the only one who actually from Manitoba or Winnipeg
16 was able to attend that meeting.

17 Q. So, do you recall some of the stakeholders?

18 A. Absolutely. There was most of the--most of the ag and
19 seed treatment companies were represented. Uniroyal was
20 represented for sure. There was a number of the growers from
21 Alberta, Saskatchewan, and Ontario. The Manitoba growers could
22 attend. PMRA was there. Both Wendy Sexsmith and Dr. Claire
23 Franklin were in attendance. We had--the world Wildlife Fund
24 was there.

25 So, a broad range of stakeholders, growers, industry,

14:03 1 pesticides companies, government, ag Canada, provincial
2 Ministries were there. So, it was a large audience.

3 Q. Okay. And you said the action plan in that meeting
4 arising from that meeting was to, in part, encourage
5 manufacturers to obtain U.S. tolerances for all canola
6 pesticides registered in Canada.

7 A. Correct.

8 Q. And so if lindane was the largest, the most important
9 product being used at the time, presumably your group of
10 stakeholders were interested in lindane getting a U.S.
11 tolerance?

12 A. Exactly.

13 Q. Your--the growers were interested in continuing to use
14 lindane?

15 A. Exactly. Yes.

16 Q. When you first started speaking with Ms. Sexsmith
17 during this 1997 period, was it that broader issue of
18 pesticides used in Canada not registered in the U.S.?

19 A. It was.

20 And if you look at point three of the action plan is
21 to develop an integrated pest management strategy, and Wendy
22 Sexsmith had a direct involvement in alternative pest control
23 measures, so primarily that was one--the key points that I got
24 involved with her is in developing an integrated pest
25 management strategy, but also talking more specifically about

14:05 1 what would it take for harmonization, what can the Canada PMRA
2 do to support harmonization efforts, so generally it was early
3 on a pretty steep learning curve for me to make sure I was
4 keenly aware of what the pesticides registration requirements
5 were in Canada as well as the U.S.

6 Q. From there, if we go to the next section of your first
7 Affidavit, Paragraph 18, now there has been developments in the
8 U.S., and you say at the end of that paragraph,
9 Paragraph 18--let's go back to the start of Paragraph 18: "The
10 EPA confirmed that since the unregistered pesticides--this is
11 following the Gustafson letter--was applied to the seed for a
12 pesticidal purpose, it was not exempt under FIFRA and
13 importation of the seed into the U.S. would be illegal."

14 You then said, "EPA then alerted the USDA and the FDA,
15 the agencies responsible for monitoring imported food that
16 might contain pesticides. As a result of this action,
17 discussions ensued around the possibility of a border closure."

18 Did you--there's lots of--several documents on the
19 record from EPA. Did you ever get a document from FDA or the
20 USDA that said the border will be closed to these products?

21 A. I don't recall getting any specific documents from the
22 FDA, but we understood their mandate was to monitor imports of
23 food that may contain pesticides, so we had no direct contact
24 with the FDA, but we know that they routinely sampled a wide
25 variety of commodities, food, feed for pesticide residues, and

14:07 1 so we knew that they were always looking.

2 Q. On this issue of correspondence from the EPA, your
3 attachment TZ-12 is a letter from the EPA to you,
4 November 23rd, 1998. It's unclear to me from the letter,
5 what's the genesis of this letter? It seems to--

6 A. Yeah, the--if you go back, October 19th, we sent an
7 official letter from the CCGA to the PMRA, saying that we
8 intend to proceed with the voluntary withdrawal of canola from
9 lindane seed treatments. There was several questions around,
10 well, isn't it true that people are working on establishing a
11 tolerance or getting lindane registered in the U.S.

12 So, the real--the question asked to the EPA was: Was
13 there any petitions in front of them for a tolerance or an
14 exemption from tolerance or a registration for the use of
15 lindane on canola, and that's really what the last--second
16 page, first paragraph, last sentence, "No petition supporting
17 additional lindane uses or tolerances including the use as a
18 seed treatment on canola has been received by the Agency."

19 So, really that said to us, in the short term, there
20 was really going to be no release from relief of possibility of
21 closure from the border.

22 Q. You said the EPA was asked this question.

23 A. Yes.

24 Q. Who asked the question?

25 A. I asked the question.

14:09 1 Q. To Lynn Goldman?

2 A. Yes.

3 Q. At a meeting with Lynn Goldman?

4 A. No. This was in a formal letter to--during this time
5 period, after we declared on October 19th that we intended to
6 pursue a voluntary registration. We believed we had support
7 from all of the Registrants, but there was continued dialogue,
8 and the Claim had been made that we do not need to proceed with
9 the voluntary withdrawal because we are going to have
10 registration or tolerance in the U.S. That's what prompted the
11 letter, and the response then said that really there was no
12 short-term solution to this bigger problem of lindane seed
13 treatments and lindane use.

14 Q. I don't believe, and correct me if I'm wrong, that
15 your letter to the EPA's in your evidence, is it?

16 A. It is not.

17 Q. You don't have a copy anymore?

18 A. It's 10 years ago, and it's--but that is my
19 recollection of why we had this letter sent to us.

20 Q. Okay. Thank you.

21 ARBITRATOR CRAWFORD: The letter from Dr. Franklin
22 doesn't reference a letter from you. It simply says I'm
23 writing to you at this time to provide an update.

24 THE WITNESS: Right. And this is from Lynn Goldman.

25 ARBITRATOR CRAWFORD: Yes.

14:11 1 THE WITNESS: On the November 23rd. And it says,
2 "Dear Mr. Zatylny, I'm writing to you at this time," so it was
3 a response to me prompted by a letter from me, to the best of
4 my recollection.

5 ARBITRATOR CRAWFORD: Fine.

6 BY MR. BEDARD:

7 Q. If we flip ahead again to your second Affidavit, there
8 is an exhibit there, Exhibit TZ-21. It's an E-mail from Jeff
9 Adams to Marvin Hildebrand.

10 Who are these individuals?

11 A. Marvin Hildebrand worked in the Embassy in Washington.
12 He was one of my contacts when I would be in Washington. I
13 would call him to find out what the scoop was and what was
14 happening. Jeff Adams worked at Foreign Affairs.

15 Q. And how did you get this E-mail?

16 A. Through this time period, we were starting to
17 implement really our three point policy of harmonization, of
18 making sure that products are registered on both sides of the
19 border, IPM.

20 So, we met with various stakeholders. This is after
21 the lindane issue. I met with all the provincial Ag Ministers.
22 I met with Lyle van cleave, the Federal Ag Minister. In this
23 case, I met with Foreign Affairs because we were concerned of
24 if the border closes, what do we do? Not only canola growers,
25 but as a nation? This issue from our perspective as at the

14:13 1 Canola Council and the Canola Growers had much wider
2 implications than just a small crop. It was a big deal, and
3 that's--Foreign Affairs was--Agriculture Canada was involved in
4 this meeting, so we had a pretty wide stakeholder group that
5 was concerned about this issue.

6 Q. It appears to be an internal E-mail between the
7 Department of Foreign Affairs and the U.S. Embassy.

8 A. Yes. The Canadian Embassy in Washington.

9 Q. Was it Marvin Hildebrand that gave you a copy of this
10 E-mail?

11 A. I see no fax numbers on there, but I don't know the
12 origin or the source of this, but it somehow ended up in the
13 filing cabinet, and I was very aware of who these people are
14 and what their roles were.

15 Q. You would have received this around that time sometime
16 in 1998?

17 A. Yes. It was--the date on it looks like March the 7th,
18 1998.

19 Q. Did--your comment from a few moments ago was that you
20 were concerned that the FDA would enforce at the border and
21 find oil--find residues in canola oil?

22 A. That's correct.

23 Q. Did you ever see any data or documents that said there
24 would be residues of lindane in canola oil?

25 A. Well, one of the--to answer your question in a

14:14 1 roundabout way, the Food for Quality Protection Act said that
2 if there was no detectable residue, it did not mean that there
3 was no residue present. So if there was no tolerance or
4 exemption from tolerance, they would have to go to half the
5 limit of detection and put that number as a number of pesticide
6 residue.

7 So, whether it was found or not wasn't necessarily the
8 point. The point was there was no tolerance or exemption from
9 tolerance, so the EPA could apply a number based on the
10 sensitivity of the testing equipment.

11 So, that in itself was a problem. Not having an
12 exemption or a tolerance was the problem.

13 Q. But even when they don't have a number and they take
14 half the limit of detection, that's still a number?

15 A. That's still a number.

16 Q. And they have to find something?

17 A. They don't have to find anything. They just assume
18 that there is always going to be pesticides there. And this is
19 right out of the FQPA. It's--if there is no detectable
20 residue, it doesn't mean there is no residue. We just can't
21 find it, so we will apply half the limit of detection. And
22 there is a number that has to be included now in the risk cup
23 assessment in the U.S.

24 Q. If a pesticide is used on strawberries--

25 A. Yes.

14:15 1 Q. --the number means something. In other words, the
2 fact that--they have to be able to detect something, some
3 lindane in that canola oil for there to be an issue.

4 A. No. In this case, they didn't have to. If--their
5 assumption was if there is no detectable residue, it means that
6 the equipment is not sensitive enough to detect it.

7 So, their assumption in the FQPA is, unless you have a
8 tolerance or an exemption from tolerance, they will assume that
9 half of the limit of the detection equipment is the number they
10 will use in their calculation.

11 ARBITRATOR CRAWFORD: You're damned if you do, damned
12 if you don't.

13 THE WITNESS: Exactly. And that is ultimately one of
14 the issues with the FQPA for all countries in the world. If
15 you're exporting food or food products or feed into the United
16 States, you need to have a tolerance or an exemption from
17 tolerance or an assumption will be made that even if there is
18 no residue detected, that they will plug in a number of half of
19 the--the number that's equal to half the detection level that
20 the equipment is going.

21 So, if they're testing to parts per million, they
22 would go and make an assumption on parts per billion is there.

23 So, zero is zero in their case. Zero is not zero.
24 It's something.

25 BY MR. BEDARD:

14:17 1 Q. We will follow this up with the regulatory Experts.

2 A. Absolutely. This is--the information I got on the
3 FQPA came from people like Dan Barolo, who wrote the Food
4 Quality Protection Act. He advised us on the strategy to deal
5 with it. So, although I'm not an expert on the FQPA, I was
6 surrounded with people who were. And so, this no tolerance or
7 exemption from tolerance was a big deal.

8 Q. If we flip--if we stay in Exhibit TZ-21 and flip to
9 the second page, so this is Department of Foreign Affairs to
10 the Canadian Embassy in Washington, 5-A of this internal E-mail
11 says the U.S. Canola Association noted to WSH D.C. I don't know
12 who that is. Do you know what that stands for?

13 A. I'm not familiar with that acronym.

14 Q. On March 5, 1998 the FDA has done residue testing on
15 these products in the past and is unconcerned about lindane
16 residues on canola.

17 A. And I have seen that reference in other places as
18 well. If we look at a letter from the EPA to Roger Johnson, it
19 says we are not concerned for this season, so again, this is a
20 long-term--a long-term concern because if they are not
21 concerned this year, they could be concerned next year. And as
22 long as there is no tolerance or exemption from tolerance,
23 there is always a risk that some administration or some
24 administrator or some congressman would ask them to look with
25 more sensitive equipment.

14:19 1 Q. The reference we just looked at isn't time limited
2 anyway. They just said they are not concerned?

3 A. Yes.

4 Q. To your knowledge, did the FDA ever take enforcement
5 action against lindane on canola?

6 A. The FDA routinely monitored. It never took action.

7 Q. Or maybe they never found anything--they never took
8 any action.

9 A. They never took any action.

10 Q. If we go back to your first Affidavit, Paragraph 26,
11 here you're saying partway through this paragraph, the EPA
12 confirmed that it would, indeed, be closing the border. Here
13 you're talking about treated seeds; is that right?

14 A. In this case, yes.

15 Q. And was this discussion limited to lindane?

16 A. Lindane was a lightning rod that attracted all the
17 attention prompted by the letter from Gustafson. And so, where
18 prior to the Gustafson letter we were all working on
19 harmonization. It's a slow process, a slow pace, but lindane
20 became the focal point after the Gustafson letter to the EPA,
21 and it became an issue for the growers in North Dakota. It
22 became an issue for the politicians and the regulatory bodies.
23 So, it became an issue for us as well. It polarized--it
24 really--it crystallized the importance of what we were doing
25 around harmonization.

14:21 1 Q. If we go to your Exhibit TZ-8, this is the
2 Environmental Protection Agency writing to Roger Johnson of
3 North Dakota. This is talking about the Gustafson letter.
4 They're talking about Premiere Plus. Are you aware of what the
5 Active ingredients in Premiere Plus were?

6 A. Sorry, I couldn't--I couldn't tell you offhand.

7 Q. The letter itself only ever talks about Premiere Plus
8 specifically and a non-U.S. registered pesticide.

9 A. Right.

10 Q. If we went through most of the EPA correspondence,
11 that's generally what they talk about when they write these
12 letters. It's unregistered U.S. pesticides. Would you agree
13 with that?

14 A. Well, if we go back to the 1992 letter, which would be
15 in--it was the letter--I'm not sure I have it here, but it's
16 EPA to Surrick (ph.) in 1992. Do we have that from my witness
17 bundle? It's the one that the Gustafson people reference.

18 And let's go to the Gustafson letter, if we can't find
19 that document because--sorry, let's go to the Press Release of
20 February 26th that was issued by Gustafson. And if we can find
21 it here.

22 MR. DOUAIRE de BONDY: It's TZ-5.

23 MR. BEDARD: TZ-5. I will let you make your
24 statement, obviously, Mr. Zatylny, but I'm trying to focus on
25 the EPA reaction as opposed to Gustafson interpretation.

14:23 1 THE WITNESS: Well, okay. If we go to the 1992 letter
2 that the EPA sent, which I don't have here--

3 MS. SHAKER: Mr. Zatylny, if you would really like to
4 look it, it's Wendy Sexsmith 82.

5 THE WITNESS: Okay. Where do I find that?

6 MS. SHAKER: We will get it for you.

7 THE WITNESS: Okay, excellent.

8 ARBITRATOR CRAWFORD: What's the date of it?

9 MS. SHAKER: It's December 2, 1992.

10 THE WITNESS: Okay. So, this letter clearly states in
11 here that not only are they concerned about the importation of
12 treated seed for planting, but they're also--FDA has or EPA has
13 the authority to establish tolerances or exemption from
14 tolerances for pesticides which may be used on food or feed.
15 Tolerances are required for imported seed if that seed has the
16 potential to be a food or feed crop. "We generally do not
17 consider seed to have this potential if"--it goes on.

18 "However, we do require tolerances for crops grown from treated
19 seed regardless of the source of that treated seed."

20 So, that implies that seed grown in Canada is going to
21 be a food in the U.S., requires a tolerance or exemption from
22 tolerances. If we go to the Gustafson letter or the Gustafson
23 Press Release of February 26, it references this specifically
24 as a reason why they wanted to prevent lindane-treated seed for
25 planting from going from Canada to the U.S.

14:25 1 So, where EPA says one thing in one letter, this
2 actually sets the tone for much of the action as a result of
3 the Gustafson letter.

4 Q. Following the 1992 letter, as I understand it, nothing
5 actually happened. EPA didn't enforce any restrictions either
6 on treated seed or on product grown from seed.

7 A. Well, as we got into 1998, there was a call from
8 growers in North Dakota in particular calling on the EPA and
9 the FDA to enforce their own rules.

10 Q. Let's go to the EPA's response to Gustafson, which is
11 TZ-19. And if you have looked at this recently, I hope you
12 will agree with me that the response to Gustafson talks about
13 using pesticides not registered for use in the United States.
14 There is actually not a single mention of lindane in this
15 letter.

16 A. That is correct.

17 Q. Okay. Paragraph 44 of your first statement, you say,
18 "The importance of this plan," which was the preliminary
19 Withdrawal Agreement, "was reinforced when on October 23rd,
20 1998, a shipment of treated canola seed was turned back at the
21 border."

22 Next sentence. "At the same time as I already
23 mentioned, media reports were suggesting that lindane was
24 toxic, linking harmful chemicals to canola products caused a
25 great deal of concern."

14:27 1 The shipment that was turned back, was it treated with
2 lindane?

3 A. It was treated with another pesticide for which there
4 was no tolerance or registration in the U.S.

5 Q. Not lindane?

6 A. Not lindane.

7 Q. Okay. So, if we go back to 1998--sorry, your
8 statements kind of deal with things in both statements, even
9 though they're in chronological order, so that's why I'm
10 flipping back and forth. The sequence crosses the two
11 statements, but Paragraph 12 of your second statement, "From
12 January '98 until the summer of 1998, I pursued the
13 harmonization of lindane Regulations between the U.S. and
14 Canada."

15 Here you're still talking about a tolerance solution,
16 not a cancellation solution?

17 A. That's correct. And there was never--it was not in
18 our power to talk about cancellation anyway, so it was really a
19 tolerance, which was we were asking Gustafson to establish
20 tolerance in the U.S.

21 Q. Sorry, you said it was not in your power to talk about
22 cancellation?

23 A. We have no regulatory authority.

24 Q. Okay. And then if we flip ahead still in the second
25 statement to Paragraph 14, "By the end of the summer, I began

14:28 1 to seriously consider the idea of a voluntarily withdrawal of
2 lindane by Canadian canola growers. I approached the PMRA to
3 convey our concerns and solicit their support in facilitating a
4 possible voluntary withdrawal."

5 A. Right.

6 Q. Was the voluntary withdrawal really your idea, being
7 the point person at CCC and CCGA on this?

8 A. No, that's a question I can't answer honestly.
9 I--where the idea actually came from, whether it was from one
10 of the committees in the grower groups or it was collective
11 think exercise, and the best option that was finally agreed to
12 and supported by everyone was a Voluntary Withdrawal Agreement.
13 And at that point I was giving my marching orders to really
14 make it happen.

15 Q. And would there--these votes that we talked about at
16 the beginning by the provincial associations and the CCGA and
17 the CCC, would they have happened that summer?

18 A. Yes.

19 Q. To authorize you to speak to the PMRA?

20 A. Yes.

21 Q. So, you took that idea to PMRA sometime, I guess,
22 after the summer, the end of summer, 1998?

23 A. Right.

24 Q. And was it well received by the PMRA?

25 A. Well, I think at the end of August, early September,

14:30 1 we had finally, as the grower groups had decided this is what
2 they are going to pursue, I phoned Wendy Sexsmith and said, it
3 is our intention to ask for a voluntary withdrawal of canola
4 from lindane seed treatment labels, that we would--and we laid
5 out kind of the essential plan. October 18th or 19th we
6 followed up with a formal letter, and the time gap there is
7 farmers were busy harvesting September, early October.

8 So, by early September we had made our intention
9 clear. We had by that time talked to all the Registrants and
10 felt we had support in principle for proceeding. And then we
11 started working on putting together the Voluntary Withdrawal
12 Agreement.

13 Q. When you said you would ask for the
14 modification--withdrawal from the label of canola use, you mean
15 you would ask the Registrants to ask?

16 A. Exactly.

17 Q. Okay. We have alluded to it a bit, but in 1998, there
18 were several pesticides used in Canada on canola not registered
19 in the U.S., is that right?

20 A. That's correct.

21 Q. And this Withdrawal Agreement that you had was focused
22 solely on lindane?

23 A. It was focused on lindane.

24 Q. The EPA, in its communications to you and others that
25 summer merely restated U.S. law, that a U.S.--pesticide not

14:31 1 registered in the U.S. could not be treated to seed with the
2 seed imported into the U.S.?

3 A. That's correct.

4 Q. Was there concern by the association that other
5 products would raise the ire of the U.S. EPA?

6 A. We were concerned about making sure we had a tolerance
7 or exemption from tolerance for all pesticides used in Canada
8 on canola.

9 Q. Why not seek voluntary withdrawal of all those other
10 products?

11 A. If we go back to--one of the drivers for this was the
12 reaction of the growers in North Dakota to the Gustafson letter
13 to the EPA and their response, that the response was--and this
14 started out with--strictly an economic question is, I purchased
15 seed in Canada. Will I get my seed? And you see a letter from
16 Senators Dorgan, Conrad, and Pomeroy saying this is
17 unacceptable, to stop this practice.

18 So, the EPA said we will not enforce the seed issue
19 until after the planting season so the farmers can get their
20 seed.

21 So, essentially the U.S. farmers lost lindane in one
22 season. They had no access to it at that point.

23 So then the discussion went, well, from an economic
24 discussion of will I get my seed to this is unfair, and finally
25 to if Canada--if U.S. growers don't have access to lindane,

14:33 1 neither should the Canadian growers, and there began to be a
2 pressure from keeping the border open to pressure to get
3 lindane off the market in Canada so there could be a level
4 playing field.

5 So, as we were working through all the product--and we
6 had success on a number of other molecules where there was
7 tolerances put in place where PMRA, EPA, and the Registrants
8 worked together and found a way to establish tolerances for
9 other pesticides. Lindane was in my discussion with the EPA.
10 They had no interest in getting a tolerance established for it.
11 They mentioned that to others as well.

12 By this time, the World Wildlife Fund had indicated to
13 us that they were going to publish a report highlighting the
14 use of lindane on canola. Canola was being sold as a healthy
15 oil, and that kind of publicity from World Wildlife Fund would
16 definitely damage the reputation of our product.

17 So, it was driven by anger in the U.S. that the border
18 shut down the seed. It led to political pressure to do
19 something to create a level playing field, and Gustafson as
20 well were fueling the fire because if you look at their--let's
21 turn to that February 26 Press Release that they issued, and I
22 believe it is--do we have that?

23 MR. DOUAIRE de BONDY: TZ-5.

24 THE WITNESS: TZ-5.

25 Okay. So, this is February 26th, and there is a

14:35 1 couple of interesting things. This is from Gustafson. They
2 highlight lindane as the issue here. And then they go down at
3 the bottom. They say that they have--it soon became apparent
4 that extensive data requirements outlined to the EPA to
5 complete this registration would make the project both
6 difficult and cost prohibitive, particularly given the size of
7 the U.S. market. Instead they're going to pursue Gaucho.

8 So, they helped to highlight the issue, and they also
9 said to farmers that they weren't going to pursue a
10 registration, so at this point we had no confidence that there
11 would be a tolerance or exemption from tolerance, and it became
12 clear that it would be very difficult to save lindane in Canada
13 as a product, given the global pressure, the potential for bad
14 press, the fact that even it appeared that Gustafson was giving
15 up on lindane as a seed treatment product.

16 BY MR. BEDARD:

17 Q. Mr. Zatylny, I appreciate that some tolerances were
18 achieved for other products, but you would agree that there
19 were several products used at that time, continued to be used
20 after that for which no U.S. tolerances were obtained?

21 A. For some time, but the plan was to pursue the
22 tolerances, and we got commitments from other companies as they
23 would work on tolerances. So, today essentially there is a
24 harmonized pesticide industry that products in Canada and the
25 U.S. are harmonized and available to farmers in both.

14:36 1 Lindane seemed to become a lightning rod for
2 attracting the attention because it was clear to us that or
3 became clear that the EPA had no interest in harmonizing that
4 product.

5 Q. Are you familiar with the product the active
6 ingredient carbaryl? It's in the Bayer Product 7.

7 A. No, I'm not familiar with that product, not familiar
8 with it.

9 Q. You're not involved in canola anymore?

10 A. I am not.

11 Q. And you said you didn't know the Active ingredients
12 that were in Premiere Plus?

13 A. No, I don't.

14 Q. The Withdrawal Agreement that was conceived in the
15 summer of 1998 had a variety of components, the December 31,
16 1999, and the production date, the July 1 succession date.
17 You, I think, are fairly familiar with that November 26, 1998,
18 letter that sets out those terms as they were laid out by the
19 CCC or CCGA in its letter.

20 Were those the elements that you and your group came
21 up with that summer?

22 A. And if you go back to the initial plan for
23 harmonization, those are key elements there, so we really were
24 looking at harmonization, having new products that are
25 registered on both sides of the border, and the element of the

14:38 1 voluntary withdrawal of canola from lindane seed treatments was
2 really the different element than what we had initially
3 envisioned throughout the harmonization process.

4 Q. One of the components--two of the components of that
5 Withdrawal Agreement were, one, that the PMRA would expedite
6 the registration of lindane-free formulations, existing
7 formulations where you pull the lindane out.

8 A. Yes.

9 Q. Second aspect of that was that they would facilitate
10 the registration of lindane replacement products.

11 A. Correct.

12 Q. Crompton's products at the time, Vitavax and the
13 Vitavax family of products, do you know what the Active
14 ingredients were in those products?

15 A. Well, lindane was certainly one of them.

16 Q. Right.

17 A. Thiram and--I can't recall the other one, but--

18 Q. Would it surprise you if it was carbothion?

19 A. It wouldn't surprise me.

20 Q. Okay. Were you aware that the Lindane Products on the
21 market, the fungicide component of the Lindane Products, many
22 of them did not have a U.S. registration or tolerance?

23 A. I was aware of that, yes.

24 Q. So, as part of this agreement to diffuse the trade
25 situation, PMRA agreed to fast-track the registration of

14:40 1 products which would themselves, according to the EPA, not be
2 allowed untreated seed and could cause an FDA problem.

3 A. Right.

4 Q. And yet the industry--PMRA itself fast-tracked those
5 products as part of the solution to the trade irritant?

6 A. Well, if--this was a long-term process. The lindane
7 was the one that caught the attention of the U.S., caught the
8 attention of the EPA. There was at no time as we believe that
9 lindane was the only issue, and so it did not start with
10 lindane. It did not end with lindane, that lindane was just
11 one of the products that was a potential trade irritant.

12 And by the actions of the growers on both sides of the
13 border and the associations and working with Registrants,
14 harmonization has finally been achieved.

15 Lindane became the lightning rod for many, many
16 stakeholders in this process.

17 Q. Your evidence is that all products are harmonized?

18 A. To my belief, I had met with a canola grower in North
19 Dakota a short while ago, and he said thank you for your
20 efforts in 1998 that--this came out of the blue, but we
21 believe--he believed that it's a level playing field between
22 Canada and the U.S. today, and they're satisfied.

23 But every country in the world has to deal with
24 tolerances and exemption from tolerances with the U.S. It's
25 the number one concern for exporting countries.

14:41 1 Q. But you're not involved in canola anymore?

2 A. I am not.

3 Q. You're not aware of what's registered in Canada for
4 canola?

5 A. I'm not following it that closely, no.

6 Q. And going back to these fungicides which were part of
7 the solution to the trade irritant, you said before that the
8 FDA was checking shipments all the time.

9 A. Right.

10 Q. January 1st, 2000, July 31, 2001. If they had
11 inspected a shipment of canola oil from Canada, according to
12 you where the limit of detection, half of the limit of
13 detection is a number, but it doesn't mean anything, that
14 shipment would have been banned if it had carbosulfon,
15 thiabendazole?

16 A. That is correct.

17 Q. It would have been banned.

18 A. Yes.

19 Q. And yet the industry did--it pursued tolerances for
20 some of these products, but that took some period of time after
21 the voluntary withdrawal?

22 A. That is correct.

23 Q. In your first Affidavit at Paragraph 39, and we were
24 here--you have it there?

25 A. Yes.

14:43 1 Q. You described it--the CCC's plan required the support
2 of PMRA, but I think as you've described it today, you needed
3 more than the support. PMRA was the only regulatory authority
4 involved in this plan.

5 A. They're the only ones who could receive a petition
6 from Registrants to have canola taken off lindane labels.
7 They're the only Agency that could grant a grace period for the
8 exhaustion of treated seed, and they're the only ones who could
9 regulate replacement products, yes.

10 Q. And any "agreement" between CCC and the Registrants
11 would have no regulatory authority?

12 A. That's correct.

13 And just add to that, that's why it was a Voluntary
14 Withdrawal Agreement. We as growers used our influence to
15 Registrants to say we no longer want to use these products, and
16 please take canola off your labels to ensure that that trade
17 irritant doesn't result in a border closure.

18 Q. And without the PMRA, there is no agreement?

19 A. As a facilitator, the PMRA would accept the host
20 petitions for removal of canola from the labels. They
21 would--without a grace period, they're the only ones who could
22 grant a grace period, certainly.

23 Q. A product--a pesticide product in Canada, in order to
24 be used for a certain purpose, has to have that use approved on
25 the label?

14:45 1 A. That is correct.

2 Q. So, that grace period that you're talking about from
3 January 1st, 2000, until at least July 1st, 2001, the PMRA was
4 sanctioning--was turning a blind eye to the fact that all of
5 this sale and use and planting was illegal.

6 A. In...

7 Q. Well, the canola came off the label December 31, 1999.

8 A. Right.

9 Q. So, that at least year-and-a-half period.

10 A. Right.

11 Q. I don't remember if it's you or Ms. Buth, someone
12 described it as technically illegal.

13 A. You have to ask the PMRA about that, but as long
14 as--as long as there is a label, that probably can be used, and
15 I assume that they allowed those labels to remain in use until
16 July of 2001.

17 Q. Okay. You're not aware of whether that was illegal or
18 not?

19 A. No.

20 Q. Okay, fair enough.

21 You made a reference at the very beginning of your
22 comments, and it's in Paragraph 5 of your second statement,
23 that it was Procter & Gamble, our most important customer of
24 canola products in the U.S., that made the CCC aware.

25 A. Yes.

14:46 1 Q. Whose most important customer is Procter & Gamble?

2 A. They buy the majority of canola oil for the U.S.
3 market, so they are the most important U.S. customer for the
4 canola industry.

5 Q. The Canadian canola industry?

6 A. Yes.

7 Q. In 1999 you left CCC to join Dow AgroSciences?

8 A. That's correct.

9 Q. And in your first Affidavit, Paragraph 59, and I do
10 apologize for all the flipping back and forth, you make that
11 statement, and then say, "I had no further involvement in the
12 implementation of the VWA. However, I was contacted from time
13 to time by JoAnne Buth with questions about the lindane file,
14 and also got an occasional update from Wendy Sexsmith."

15 So, now we are in 1999. You're at Dow AgroSciences?

16 A. Correct.

17 Q. Wendy Sexsmith is calling you to give you updates on
18 the Withdrawal Agreement.

19 A. I wouldn't characterize it as calling me to give
20 updates, but because I was still part of the industry, we did
21 run into each other from time to time, and she would give me an
22 idea of how things were going.

23 Q. And you were with Dow AgroSciences until what year?

24 A. Until 2002.

25 Q. Until 2002.

14:48 1 So, the lindane situation, if I could call it that,
2 went through the Special Review and then cancellation of the
3 other products?

4 A. That's correct.

5 Q. Would you get updates from Ms. Sexsmith as you ran
6 into her during that period?

7 A. Well, in 1999, when I joined Dow, I was Product
8 Manager for their seed business, so I had an interest in seed
9 treatments and the issues related to that. So, it would be not
10 unusual for me to find out what's going on in the seed
11 treatment front, especially since we were interested in not
12 using lindane on our seed, and we were curious as to when the
13 new replacement products were coming. So, well within my scope
14 of my job at Dow to have an update on the status of replacement
15 products, certainly.

16 Q. Great.

17 And you said you were involved in the Dow Nexera
18 canola program?

19 A. Yes.

20 Q. Is that a fungicide product?

21 A. No, it's a line of canola seed.

22 Q. Oh, it's a line of canola seed itself.

23 A. Yes.

24 Q. To which you would apply pesticides?

25 A. Yes.

14:49 1 Q. So, you were still involved in the canola industry?

2 A. At that point.

3 Q. Until 2002?

4 A. Yes.

5 Q. Okay. And then you joined Arysta. And are you
6 involved in product pesticide registration matters with PMRA
7 today?

8 A. Yes. In a roundabout way. It's not my primary job,
9 but I do get involved in product registration globally, so I'm
10 still involved in this to some degree, yes.

11 Q. Arysta has a product for canola?

12 A. We have a product, yes, clothodim. It's a grass
13 herbicide for canola.

14 Q. You're not involved with that product?

15 A. I am involved, and I'm directly responsible for that
16 product.

17 Q. Oh, okay. When we had spoken earlier about you
18 continuing to be involved in the canola industry?

19 A. Well, it's--as a herbicide, it's used on a lot of
20 crops, including canola.

21 Q. Fair enough. Okay.

22 In 1998, obviously part of the proposed voluntary
23 withdrawal was for replacement products.

24 A. Yes.

25 Q. The registration, the facilitation of registration for

14:50 1 replacement products. Were you aware at that time of the
2 possible replacement products out there?

3 A. We were. We were talking to all Registrants, so those
4 people had Lindane Products as well as--and part of my job at
5 the Canola Council is also as I managed their field research
6 program, so we had all the replacement products tested in our
7 research program, so I was aware of what was in the pipeline,
8 what was being registered, all the products at that time.

9 Q. And Mrs. Buth in her statement had some comments about
10 Gaucho. You are familiar with Gaucho?

11 A. I am.

12 Q. And you're familiar with Helix?

13 A. Yes.

14 Q. And I think Premiere Z was the third possible
15 candidate?

16 A. Yes.

17 Q. You're familiar with all of those?

18 A. I'm familiar with all of those, yes.

19 Q. And at the time, did CCC have a view on those three
20 products, their likely efficacy, how the CCC perceived them as
21 replacements for the industry?

22 A. We looked at all those products on our--in our
23 research program, and the conclusion was that they were all
24 effective in controlling flea beetles, so--and looking at
25 research that was done in the U.S., the same conclusion was

14:52 1 also reached; that it appeared through '98, '99, 2000 that the
2 replacement products from the work we did and others had done
3 were all capable of controlling flea beetles.

4 Q. You say in your second Affidavit at Paragraph 19 that
5 there were discussions between EPA and PMRA at this time, 1998.

6 A. Yes.

7 Q. What was the content of those discussions? In other
8 words, two things. Number one, someone came up with July 1st,
9 2001; and, two, there was a whole lot of faith out there that
10 the EPA was going to turn a blind eye until that date.

11 A. Right.

12 Q. Where did those two pieces of information or faith
13 come from?

14 A. Well, the first part of your question was there was a
15 lot of discussion between PMRA and EPA, and that is true
16 because it was the beginning of the NAFTA harmonization of
17 pesticides working group, which I and others were involved in
18 in the whole harmonization issue.

19 So, I was involved in some of those meetings where
20 representatives from the U.S., Canada, and Mexico would get
21 together to discuss harmonization of pesticides. So, it was
22 lots of discussion already.

23 The date on the final use was actually a compromised
24 date because when we put out the invitation on November
25 3rd--November 4th to come to a meeting in Ottawa, we actually

14:54 1 said January 31st. So, as we worked through the details, a
2 more reasonable date appeared to be July of 2001, and that
3 would give time for seed that was treated to be exhausted from
4 the system.

5 Q. Sorry. So, who were the people or groups that came up
6 with that date?

7 A. That was the grower associations. That was the date
8 they had chosen. The date that we chose as growers was
9 January 31, and you will see that in the invitation. That will
10 outline what we are going to talk about.

11 At the meeting on November 24th, the compromised date
12 was July 31st, so it would be the stakeholders that were
13 involved in that meeting, including the Registrants.

14 Q. Okay. And then part two, everyone assuming everything
15 was okay until July 1st, 2001 that the EPA was not going to--

16 A. Well, that's where I think in terms of what were the
17 guarantees, what were the assurances? At the same time, there
18 was the bilateral trade agreement that was coming together that
19 met on December the 4th of that year, and I think it's in here
20 somewhere at--what's it called?

21 Q. Are you referring to the Record of Understanding?

22 A. The Record of Understanding. Thank you.

23 The Record of Understanding, it was very important
24 that the canola growers were recognized in there. That, in our
25 mind was the commitment made by the EPA that they would accept

14:56 1 the Voluntary Withdrawal Agreement. We worked towards
2 harmonization, and that if this thing down the road came off
3 the rails, we could point to that notice in that agreement,
4 that we hoped that it would help prevent any future trade
5 action on canola because we have already shown through our
6 willingness to proceed with the Voluntary Withdrawal Agreement
7 our good intentions to support harmonization.

8 So, that's the assurances we felt we had, and we got
9 that the EPA would live up to their commitments and essentially
10 work with us through the harmonization period.

11 Q. Just a question to the side a bit. I asked you about
12 the Product 7, which you weren't familiar with. Are you
13 familiar with the product Excel Superherbicide which is also
14 used on canola?

15 A. I'm not familiar with that.

16 Q. That's fine.

17 You make the comments as Canada--the other Canadian
18 witnesses do in several places--this is in Paragraph 27 of your
19 second Affidavit--that dozens of existing lindane uses of the
20 product were already withdrawn. I think this is 1998 or so
21 you're speaking?

22 A. That's correct.

23 Q. As someone in the crop protection business, you would
24 agree with me that there are lots of reasons to withdraw
25 registration.

14:58 1 A. Correct.

2 Q. It's expensive to maintain a registration, isn't it?

3 And, therefore, to give you a further qualification, if a
4 company is going to go to the effort of maintaining the
5 registration, there has to be a market that justifies that
6 cost?

7 A. Correct.

8 Q. And over time, the uses of a product change, so
9 whereas maybe 20 years ago it would be entirely foliar or
10 mostly foliar or at least above ground, seed treatment as a
11 niche use has appeared increasingly because it much more
12 environmentally friendly?

13 A. Right.

14 Q. And I was looking for the reference, but I think you
15 will agree with me, in the ROU, the United States EPA doesn't
16 make any commitment not to enforce. I think if I read your
17 evidence, there was an understanding from the ROU?

18 A. Right, exactly.

19 Q. There would be no enforcement?

20 A. Until harmonization was complete or until there was no
21 pressure on the border, it was always going to be a risk.
22 There was--and until there was harmonization, there would
23 always be a risk, and there was continued--after lindane, after
24 the Voluntary Withdrawal Agreement, we continued to work on
25 harmonization issues for the next two or three years. One of

14:59 1 the requirements was to draw up a short list that both Canada
2 and the U.S. growers got together and said these are the
3 products that we may--that we really need to have.

4 So, it didn't start with lindane, didn't end with
5 lindane. It was--lindane was just part of the products that we
6 were trying to find a solution for.

7 Q. In your materials, and I don't think we need to flip
8 to specific citations, but you make certain references about
9 the health image of canola and concerns based on media coverage
10 and that sort of thing. And you're making these statements as
11 of 1998.

12 A. Right.

13 Q. You would agree with me that the growers themselves,
14 actual growers and seed treaters, used lindane as long as they
15 could in Canada. They used it right until the end of the '01
16 deadline, and then your organization got a commitment so that
17 it could be used, the seed could be planted for 2002; is that
18 right?

19 A. No, not really because in 2002, all Nexera canola sold
20 by Dow AgroSciences had no lindane on it.

21 Q. There was a lot of effort on the part of CCC in 2001
22 to get permission from the PMRA to allow the lindane-treated
23 seed to be used in 2002?

24 A. You will have to talk to JoAnne about that, but I
25 would assume it was because there was leftover seed in the

15:01 1 system that needed to be exhausted.

2 The choice you have, when you have a treated seed is
3 to incinerate it or plant it. Actually the most
4 environmentally friendly way to dispose of seed is to plant it,
5 so you can ask her about that, I don't believe there was driven
6 by any strong desire to continue using lindane, but more of
7 necessity to finally exhaust the system of treated seed.

8 Q. We can ignore 2002.

9 Your mention of these concerns started in 1998.
10 Growers were using a significant amount of lindane as they
11 always had in 1999. Is that your impression?

12 A. Yes.

13 Q. And in 2000?

14 A. In 2000 there started to be a transition away from
15 lindane as Helix was registered.

16 Q. Sorry, Helix was registered in November 2000, so I
17 don't believe any growers or treaters would have used Helix in
18 the 2000 season.

19 A. In November of 2000.

20 Q. So lindane would have been used to the same extent in
21 2000?

22 A. Right, yes.

23 Q. And by 2001, I get the impression you were fairly far
24 removed from these issues?

25 A. No. In 2001, I was managing seed business. And as

15:02 1 soon as we could put Helix on our seed, we did. So us and a
2 number of other companies started to transition away from
3 lindane, even when the choice was available.

4 Q. So, Dow used Helix in 2001?

5 A. Yes, as well as intermountain canola and proven seed,
6 so there were a number of companies that started to use the
7 product. And it was three times more expensive or four times
8 more expensive than lindane.

9 Q. Do you know who you--you referred a couple of times to
10 the Gustafson letters, and I believe you said that they could
11 have pursued a registration of an intolerance in the U.S. Did
12 Gustafson U.S. hold the registration, the lindane product
13 registrations? Do you know?

14 A. Yeah, I believe so, yes.

15 Q. Not Crompton?

16 A. I think it was Gustafson, but I'm not a
17 hundred percent familiar with that.

18 Q. Okay. That's fine. Thank you very much, Mr. Zatylny.

19 MR. BEDARD: Thank you.

20 PRESIDENT KAUFMANN-KOHLER: Thank you.

21 Any redirect questions?

22 MS. SHAKER: I do just have a few short questions.

23 PRESIDENT KAUFMANN-KOHLER: Yes, please.

24 REDIRECT EXAMINATION

25 BY MS. SHAKER:

15:04 1 Q. At one point you agreed with Mr. Bedard that the CCC
2 and CCGA could not force growers to stop using lindane.

3 In your view, was the position taken by CCC and CCGA
4 supported by the farmers, all your membership, essentially?

5 A. Yes.

6 Q. Another point Mr. Bedard stated that in 1997, 1998,
7 the majority of farmers are still using lindane seed
8 treatments. I'm just wondering if you can tell me if at that
9 point there were any other options on the market that farmers
10 could have chosen at that time?

11 A. Lindane was at the time was the most widely used seed
12 treatment for canola.

13 Q. Were there any replacement products on the market?

14 A. There was some in Ferrero insecticides like Turbofos,
15 which was still available in Canada, but it wasn't available in
16 the U.S. It wasn't a very good option for farmers.

17 And then shortly thereafter Gaucho was registered in
18 the U.S., so the U.S. farmers had access to Gaucho.

19 Q. If you could turn to Paragraph 18 of your first
20 Affidavit, Mr. Bedard was pointing out that, although there
21 were concerns on part of the EPA, you were suggesting that
22 there was no letter from the USDA or FDA on this issue.

23 Could you turn to witness bundle document number three
24 for one moment.

25 PRESIDENT KAUFMANN-KOHLER: You said witness bundle.

15:06 1 That's your direct examination bundle, yes, thank you.

2 MS. SHAKER: It's also TZ-19, if that's better.

3 THE WITNESS: Got it, thank you.

4 BY MS. SHAKER:

5 Q. So, could you look at the last paragraph on this page
6 as well as the final paragraph on the document and tell me if
7 it mentions anything about the USDA and the FDA here.

8 A. Yes. In here it does mention that in the last
9 paragraph, it says that the Agency, referring to the EPA, will
10 discuss with appropriate authorities USDA, FIFRA. And further
11 we will bring the issue to the attention of the Food and Drug
12 Administration, the Agency responsible for monitoring imported
13 food products that may contain pesticides.

14 Q. Thank you.

15 And following up on your discussion that farmers
16 wouldn't always choose the cheapest product, I just to want
17 clarify that point. So you're saying a farmer wouldn't
18 automatically choose a lindane product over, say, Helix?

19 A. No, they would not--not automatically choose. And
20 that goes for any product. They don't always choose the lowest
21 priced product.

22 Q. And you mention as one of the factors that's taken
23 into account is the question of effectiveness. Can you comment
24 on the effectiveness of Helix versus, say, lindane-based
25 products, in your opinion.

15:08 1 A. One of the claims that Helix made was seasonal long
2 flea beetle control, whereas with lindane it was short-lived.
3 So it was really controlled, flea beetles that are present at
4 the time. There was some evidence that the long-term control
5 would be better with Helix, yes.

6 Q. So, can you clarify you're saying that Helix is an
7 effective product?

8 A. Helix is an effective product.

9 Q. And vis-à-vis you compared to lindane, would you say--

10 A. It has different qualities but it's as effective and
11 potentially has some features that would make it more effective
12 in the long term.

13 Q. Thank you.

14 Just can you clarify whether or not the Canadian
15 canola growers would have been interested in using
16 lindane-treated seed if you were not able to export your
17 product to the American market?

18 A. No, they would not be interested in using the product.

19 Q. Just one final point. It's come to my attention
20 Mr. Bedard was asking about Premiere Plus and whether or not it
21 was a lindane product; is that correct?

22 MR. BEDARD: I was asking about the Active ingredients
23 in it. I think we all agree it's a lindane product.

24 MS. SHAKER: Okay, I just wanted to clarify that.

25 Thanks.

15:09 1 That's all my questions.

2 PRESIDENT KAUFMANN-KOHLER: Are there any questions
3 from the Tribunal? Judge Brower.

4 QUESTIONS FROM THE TRIBUNAL

5 ARBITRATOR BROWER: I'm fascinated by the fact that
6 the Canadian--I'm sorry--Canola Council of Canada is a
7 statutory organization?

8 THE WITNESS: Yes.

9 ARBITRATOR BROWER: By Federal statute?

10 THE WITNESS: Well, I better be careful in answering.
11 The provincial grower association, Alberta Canola Producers
12 Commission, the Manitoba Canola Growers Association, those
13 types of organizations are under provincial mandate, they have
14 a provincial Charter for their existence. I believe that
15 Canola Council of Canada is a stand-alone industry association.
16 I don't believe it's a chartered organization.

17 ARBITRATOR BROWER: What do you mean by chartered?

18 THE WITNESS: I don't believe they operate under any
19 Federal authority. It operates under the financial support to
20 have--of the members, and although it says Canola Council of
21 Canada, it's not a Federal Agency.

22 MR. DOUAIRE de BONDY: But the collections that you
23 indicated were made on the delivery of canola in one form or
24 another, is that mandated by law in some way?

25 THE WITNESS: Yes, it is. In each of the Provinces,

15:10 1 it's fully refundable checkoff, so that by law the purchasers
2 of canola are automatically deducting a checkoff. That is
3 passed on to the grower associations. An individual farmer can
4 request to have his money reimbursed to him.

5 ARBITRATOR BROWER: All right. But neither your
6 organization, CCC or the CCGA is in any sense a part of the
7 Federal or any provincial government?

8 THE WITNESS: They are not.

9 ARBITRATOR BROWER: And may I inquire why you're here
10 today.

11 THE WITNESS: This consumed quite a bit of my 1998.
12 It was a pretty big year for me, and I felt that it would be
13 important to put closure to this issue, and Bruce Dalgarno, who
14 was one of the growers involved very heavily in 1998, phoned me
15 and said, please get involved on behalf of the growers and see
16 this through.

17 So, actually, that prompted my involvement in support
18 of--continued support of the growers and my own organization to
19 see it through was a big factor in me being here.

20 ARBITRATOR BROWER: So, you were or were not first
21 contacted by the Canadian Government in some form?

22 THE WITNESS: No. I was contacted by Bruce Dalgarno,
23 who--his name appears on several of these documents as well, so
24 through his encouragement, the next call came from the Federal
25 Government.

15:12 1 ARBITRATOR BROWER: Thank you.

2 PRESIDENT KAUFMANN-KOHLER: Professor Crawford.

3 ARBITRATOR CRAWFORD: Did the proposal for the
4 Voluntary Withdrawal Agreement come from the PMRA?

5 THE WITNESS: Had it?

6 ARBITRATOR CRAWFORD: Did it come from the PMRA?

7 THE WITNESS: It did not.

8 ARBITRATOR CRAWFORD: Would you say that Crompton was
9 effectively compelled to enter into the VWA by the PMRA?

10 THE WITNESS: I would not say that's the case. This
11 was the initiative of the growers. They were consistent in
12 their response all through this process, that they no longer
13 wanted to use a product. They did not want the health issues
14 raised by nongovernment groups and consumer groups. They did
15 not want issues at the border. It was their solution, and the
16 PMRA was involved to facilitate the Agreement. It was--it was
17 really the growers' solution. We analyzed the problem. Let's
18 face it, all the lindane used in Canada would amount to
19 \$20 million at the most. The industry was worth \$1.8 billion,
20 600 million of which was exports to the U.S. When we balance
21 from the growers, when the industry balanced the use of lindane
22 against the health of the industry, there is really no choice,
23 and the solution was--was hammered out and agreed to by the
24 industry, by the participants, and presented to the PMRA
25 looking for their support.

15:14 1 ARBITRATOR CRAWFORD: When did you first become aware
2 that Crompton was reluctant to go along with the VWA?

3 THE WITNESS: Well, I think on December 17th or
4 shortly thereafter I got a call from Wendy Sexsmith saying that
5 Crompton had said they support the Voluntary Withdrawal
6 Agreement, but there was some additional--some additional
7 demands were being asked for. So, all through this, there was
8 a sense that all the Registrants were supporting the Voluntary
9 Withdrawal Agreement.

10 As a result of this arbitration, I find notes,
11 internal notes, from Crompton where they say things like this
12 is our public--this is what we are saying public, but
13 internally we are negotiating separately with the PMRA. That
14 was kind of disappointing because all through this process,
15 even though there is issues to be resolved, the comments we
16 were receiving from Crompton was that they were going to
17 support the Voluntary Withdrawal Agreement.

18 And so, disappointing and somewhat shocked actually
19 that they weren't dealing with the growers in good faith all
20 the time.

21 Could I just make one more comment on that, is that we
22 weren't necessarily concerned about the details because it was
23 a Voluntary Withdrawal Agreement. All the Registrants had to
24 support it. Anybody could have said we don't support the
25 Voluntary Withdrawal Agreement and it was dead. There was no

15:16 1 other solution.

2 So, as long as they were saying we support it in
3 principle, that was good enough. The only thing that would
4 stop the thing was anybody saying we did not support it. And
5 the deal never would have happened. There was--it was strictly
6 a Voluntary Withdrawal Agreement, so I think that's an
7 important point in this, that they had the power to kill the
8 deal at any time.

9 ARBITRATOR CRAWFORD: Let's assume the Voluntary
10 Withdrawal Agreement had fallen through, for whatever reason,
11 what do you think would have happened then in terms of the
12 market for treated seed in Canada?

13 THE WITNESS: Well, it's pure speculation, but if you
14 look at the Goldman letter of November 23rd, she says she's
15 really disappointed that it looks like the deal was falling
16 apart and that they were going to have to do what they were
17 going to have to do. So, ultimately, I believe that had the
18 Voluntary Withdrawal Agreement fallen through, there would have
19 been enormous pressure from the U.S. growers to shut down the
20 border until lindane was gone or it registered in the U.S.

21 And so I believe--I know still to this day that we
22 were close to losing access to the U.S. market, and lindane was
23 the driver for that. So, I believed it then, and believe it
24 now, it was the right decision for the growers to make, and
25 ultimately not only saved our industry but grew the North

15:17 1 American business to be one of the top contributors to our
2 country's farmers' income.

3 ARBITRATOR CRAWFORD: Thank you very much.

4 ARBITRATOR BROWER: Going on with this hypothetical
5 situation, had the voluntary withdrawal fallen through, the
6 Canadian canola growers still would have been all right if they
7 did not use lindane-treated seeds.

8 THE WITNESS: They would have been all right had they
9 not used lindane-treated seeds, correct.

10 ARBITRATOR BROWER: So, was there a problem at that
11 time that there was nothing else available to produce the crops
12 the way that they should in order to be able to compete?

13 THE WITNESS: That is correct. The replacement--the
14 effective replacement products were one or two years away in
15 Canada, so that would have been the choice: To take a risk on
16 not being a very successful canola grower or not grow canola.

17 So, the industry would shrank considerably. It would
18 have.

19 ARBITRATOR BROWER: And as it turned out, they were
20 able to use lindane or less long enough until they could deal
21 with Helix; is that right?

22 THE WITNESS: That's correct. And other products came
23 along, Gaucho was registered, and eventually Premiere Z from
24 Zeneca, so eventually there was--Helix was--I think Gaucho was
25 the first one that came to market. Helix was second.

15:19 1 ARBITRATOR BROWER: But the Gaucho you referred to was
2 not an all-in-one?

3 THE WITNESS: It was.

4 ARBITRATOR BROWER: It was?

5 THE WITNESS: For whatever reason, Gustafson chose to
6 register it in the U.S. and not in Canada, and--so, it was
7 available to the U.S. farmers, but not to Canadian farmers at
8 that time. It was at least a year before it was available.

9 ARBITRATOR BROWER: Thank you.

10 ARBITRATOR CRAWFORD: Gaucho CS FL was registered in
11 the U.S. before it was registered in Canada?

12 THE WITNESS: Yes.

13 PRESIDENT KAUFMANN-KOHLER: At the November 24th, '98,
14 meeting, did you have the impression that there was an
15 agreement reached?

16 THE WITNESS: Yes.

17 PRESIDENT KAUFMANN-KOHLER: On what?

18 THE WITNESS: Well, on the basis of we were committed
19 to not leave the room until we had an agreement or sign off on
20 an agreement being reached. I think it was around 3:00. We
21 had a big board of issues that we were working through and
22 dates, and finally there was no more questions, so I asked the
23 Registrants to confirm yes or no: Are they going to support
24 the Voluntary Withdrawal Agreement? Every Registrant said yes,
25 they're going to support the Voluntary Withdrawal Agreement.

15:20 1 So, we kind of leaned back and said, "We have a deal."

2 The memory is burned in my mind because that was the
3 critical point. We went through all the issues. We put an
4 action plan together. We finally asked for the support, and we
5 got the support. And starting the day after, the 26th, we
6 started to get feedback on the Press Release. We started
7 working with Registrants. I phoned Julie Langer from the World
8 Wildlife Fund and said, "Lindane is going to be out of the
9 canola business, and so leave us alone." So, lots of things
10 happened after that.

11 So, yes, in my mind, and I believe everyone's mind
12 that sat in the room that day, there was an agreement reached
13 for voluntary withdrawal of lindane seed treatments.

14 PRESIDENT KAUFMANN-KOHLER: And the agreement included
15 the different conditions?

16 THE WITNESS: That included the three main points.
17 Those are the ones you're referring to that every company would
18 submit in writing to the PMRA that there would--they wanted
19 canola taken off their labels, that we would work together on
20 registration of new pesticides for canola and that there would
21 be a phase-out period going to July 31 of 2001.

22 And that was the three elements of--

23 PRESIDENT KAUFMANN-KOHLER: July 1st.

24 THE WITNESS: July 1st, sorry. Thank you.

25 PRESIDENT KAUFMANN-KOHLER: And so, what was this July

15:22 1 1st, 2001, time limit for? What could be done until then, and
2 what could not be done thereafter?

3 THE WITNESS: I think the belief of the growers was
4 that seed treated with Lindane Products could be planted until
5 July 1 of 2001, after which point there was no more lindane
6 seed treatments available for canola.

7 PRESIDENT KAUFMANN-KOHLER: So, if they had seeds left
8 over from the previous seasons, they could not plant them?

9 THE WITNESS: Our expectation was that that was the
10 case, that everybody knew the time lines and that by the end of
11 the first part of July, all seeds that had lindane treatment on
12 it would be planted. Ultimately, there was conditions that
13 required an extension of that, but in 1998 that was our
14 intention.

15 PRESIDENT KAUFMANN-KOHLER: Was this entire withdrawal
16 issue a question of trade or a question of health and
17 environmental risk?

18 THE WITNESS: Both played a part in it.

19 Canola has always been sold as a healthy product, the
20 healthiest oil; it's still sold as that. It won the Health
21 Food of the Year in the U.S. Procter & Gamble got that award
22 for canola oil.

23 Having connections to lindane found in breast milk and
24 the healthiest oil was just not compatible. It was just not
25 the imagery we wanted to see for our oil. It was--so, that had

15:24 1 a big role to play in it. And I think Jean Dextrose in many
2 comments through this said it's not just trade. It's the
3 public perception about the healthiness of our products. So
4 the image of canola is important.

5 And you could see why growers are so passionate about
6 it. They started the industry. This is not some government
7 program. In the 1960s, they started looking for an alternative
8 crop. They formed the Western Rapeseed Association, which
9 later became the Canola Council of Canada. When the industry
10 was threatened in the late Sixties and early Seventies because
11 of erusic and glucosinolates in it, they went from rapeseed to
12 canola through their initiative.

13 When you mention canola to a Canadian farmer, they
14 have a lot of passion. In 1998, they saw that industry
15 threatened again. So, it's not surprising they rallied to the
16 support of their industry, and it actually came up with an
17 eloquent solution to transition away from it, that kept the
18 border open, dealt with the trade issues, dealt with the health
19 issues, and went on to a stronger, healthier industry.

20 PRESIDENT KAUFMANN-KOHLER: So, how did it go about
21 replacement products? Because among the conditions, as you
22 state them of the November 24th agreement, if there was one,
23 there was the cooperation of replacement products.

24 THE WITNESS: Yes.

25 PRESIDENT KAUFMANN-KOHLER: What was the discussion

15:26 1 about this? Was there an expectation that there would
2 necessarily be replacement products registered available in
3 time when the phase-out was expiring?

4 THE WITNESS: We knew from--we knew that Gaucho was in
5 the queue, so we knew that it would be--

6 PRESIDENT KAUFMANN-KOHLER: When you say "Gaucho," do
7 you mean Gaucho CS FL, or the two what I call the two small
8 Gauchos?

9 THE WITNESS: No, the one that included the new
10 insecticide--the imidacloprid, I believe--so it was in the
11 queue.

12 PRESIDENT KAUFMANN-KOHLER: So, that's the all-in-one
13 with the fungicide?

14 THE WITNESS: All-in-one with the fungicide, and the
15 insecticide had been submitted. So, regular time lines would
16 be 18 months to two years, so that would definitely put us
17 within the window of replacement.

18 We knew that Syngenta or Novartis at the time had
19 Helix ready to go. It wasn't submitted, but they did a Joint
20 Review, so they gave the package to both EPA, PMRA; they split
21 the package in half; each country viewed their section and
22 shortened the time line, so we were fairly confident.

23 There was no guarantees, but we knew at least two of
24 the products were either submitted or about to be submitted, so
25 we did our calculation in thinking that we could get there in

15:28 1 Q. And you made the comment, if I heard it correctly,
2 that the product being used in the United States, the Gaucho
3 product, was an all-in-one fungicide-insecticide?

4 A. Yes.

5 Q. Just for clarification of the evidence, I appreciate
6 that your impression or understanding at the time was that, but
7 the record is quite clear, if you return to it, that the Gaucho
8 product in the U.S. registered at the time was just a
9 stand-alone insecticide. I simply refer you back to the record
10 and if your understanding was different.

11 PRESIDENT KAUFMANN-KOHLER: I don't think there was a
12 misunderstanding on this.

13 MR. BEDARD: Pardon me? Sorry?

14 PRESIDENT KAUFMANN-KOHLER: I don't think there was a
15 misunderstanding.

16 MR. BEDARD: Oh, okay. I thought Mr. Zatylny was
17 under the impression that it was a combination products
18 registered in the United States at that time, which it was not.
19 It was simply an insecticide.

20 THE WITNESS: Simply an insecticide, yes, yes.

21 BY MR. BEDARD:

22 Q. And I do want to ask a question arising with respect
23 to Professor Crawford's first question.

24 Apart from three-and-a-half years with Canola Council,
25 you have always been involved in the industry with businesses

15:30 1 that deal with the PMRA?

2 A. I have, yes.

3 Q. And so, on the question was Crompton effectively
4 compelled to enter into the VWA as a company whose livelihood
5 depends on registrations in dealing with the regulator, you
6 would agree with me that, as a practical matter, Crompton had
7 limited options in terms of how that sequence of events played
8 out? Would it have told PMRA, "Forget it"?

9 A. They certainly could have told PMRA that they weren't
10 interested. I'm not sure they could have told the growers they
11 were not interested because the PMRA role was to regulate the
12 pesticides. They had to accept or whatever Crompton decided.
13 It was the growers that they would have to answer to.

14 Q. As someone who has been in this business for 26 years,
15 give or take, would you in Crompton's position have ever told
16 the PMRA, "Forget it; we are not playing ball"?

17 A. Last year, Dow AgroScience sued PMRA, so it's not
18 unheard of that Registrants and regulatory agencies come to
19 heads from time to time. So, I can't answer that question, but
20 I don't know what Crompton did or what I would do, but it's
21 certainly not unusual for Registrants to take on the PMRA in a
22 very direct way.

23 Q. Okay. Thank you, Mr. Zatylny.

24 MR. BEDARD: Thank you, Madam President.

25 PRESIDENT KAUFMANN-KOHLER: Thanks.

15:31 1 So, this now really closes your examination. Thank
2 you.

3 THE WITNESS: Thank you.

4 (Witness steps down.)

5 PRESIDENT KAUFMANN-KOHLER: We will take a 20-minute
6 break, and then we continue with Mrs. Buth; this is right.

7 (Brief recess.)

8 JOANNE BUTH, RESPONDENT'S WITNESS, CALLED

9 PRESIDENT KAUFMANN-KOHLER: So, we are all ready now.
10 Good afternoon?

11 THE WITNESS: Good afternoon.

12 PRESIDENT KAUFMANN-KOHLER: For the record, can you
13 please confirm that you're JoAnne Buth.

14 THE WITNESS: Yes.

15 PRESIDENT KAUFMANN-KOHLER: You're the President of
16 the Canola Council of Canada?

17 THE WITNESS: Yes, I am.

18 PRESIDENT KAUFMANN-KOHLER: You had this function
19 since 2007?

20 THE WITNESS: Yes, I have.

21 PRESIDENT KAUFMANN-KOHLER: And before that you were
22 Vice-President Crop Production of the CCC, and that was since
23 1999?

24 THE WITNESS: Yes.

25 PRESIDENT KAUFMANN-KOHLER: Just March when

15:53 1 Mr. Zatylny left? Is that right?

2 THE WITNESS: Yes, I started in March 22nd, 1999.

3 PRESIDENT KAUFMANN-KOHLER: Fine. Thank you.

4 You have given two Witness Statements.

5 THE WITNESS: Yes.

6 PRESIDENT KAUFMANN-KOHLER: You're heard as a witness,
7 and you're under a duty to tell us the truth.

8 THE WITNESS: I understand.

9 PRESIDENT KAUFMANN-KOHLER: I would like to ask you to
10 confirm this by reading the Witness Declaration that is in
11 front of you, please.

12 THE WITNESS: I am aware that, in my examination, I
13 must tell the truth. I am also aware that any false testimony
14 may produce severe legal consequences for me.

15 PRESIDENT KAUFMANN-KOHLER: Thank you.

16 Now, you will first be asked questions by Canada's
17 counsel, and then we will turn to Chemtura's counsel.

18 Mr. Douaire de Bondy.

19 MR. DOUAIRE de BONDY: Thank you, Madam Chair.

20 DIRECT EXAMINATION

21 BY MR. DOUAIRE de BONDY:

22 Q. Ms. Buth, could you first please confirm that you have
23 your two Witness Statements in front of you?

24 A. Yes, I do.

25 Q. All right. And my only question is, do you adopt and

15:54 1 confirm the contents of your two affidavits?

2 A. Yes, I do.

3 MR. DOUAIRE de BONDY: Thank you.

4 Those are our questions-in-chief.

5 PRESIDENT KAUFMANN-KOHLER: Thank you.

6 Mr. Bedard?

7 MR. BEDARD: Thanks you, Madam President.

8 CROSS-EXAMINATION

9 BY MR. BEDARD:

10 Q. Ms. Buth, my name is Ben Bedard. I'm here on behalf
11 of Chemtura. I will be relying almost exclusively on your
12 first and second Affidavits for questions.

13 Obviously, most of your--a significant part of your
14 evidence has to do with the Withdrawal Agreement that was
15 entered into in the late 1990s.

16 You would agree that the Canadian Canola Council had
17 no authority to enter into an agreement with anyone that had a
18 regulatory effect.

19 A. Correct.

20 Q. And we have--needless to say, we had some discussion
21 with Mr. Zatylny about the CCC and its structure and how it
22 operates, so we have some background on that.

23 You would agree that the CCC--neither the CCC nor the
24 CCGA has any control over its members or over seed treaters in
25 terms of the decisions they make for the seed they plant, what

15:55 1 they treat it with.

2 A. Correct.

3 Q. If I can take you to your first statement. If we
4 start at Paragraph 28, the end of that paragraph: Over the
5 course of summer--this is 1998--and fall, it became clear that
6 Chemtura Corporation had been communicating with the PMRA in an
7 attempt to unilaterally change the terms of the withdrawal
8 agreement to their benefit, as you describe it. This
9 development was communicated to us by the PMRA.

10 Who at PMRA would have communicated that to you?

11 A. Wendy Sexsmith would have.

12 Q. And in this time period 1999-- well actually, you
13 joined the CCC in March of 1999, so when would this development
14 have been communicated to you?

15 A. It would have been--you know, I don't actually recall
16 communication because when I came in, I was aware that the--I'd
17 been made aware of the voluntary withdrawal, and I was not
18 aware that there were any issues with any of the Registrants
19 when I came in.

20 It would have been towards the end of the summer,
21 beginning of the fall, but I don't recall exact dates.

22 Q. Would it be fair to say that during 1999 you had a
23 fair amount of interaction with Wendy Sexsmith at the PMRA?

24 A. Yes. Yes.

25 Q. If we go to Paragraph 31, in the middle of that

15:57 1 paragraph--this is talking about replacement products--we knew
2 one of them, Premiere Z would not likely be effective.

3 Do you see that statement?

4 A. Yes.

5 Q. How would you have known that?

6 A. I have a background in pesticides. I have worked in
7 pesticides for about 30 years, and so I'm aware of the mode of
8 action of different insecticides.

9 And what you're looking for in a seed treatment is
10 something that's systemic, so as the seed grows, the pesticide
11 would then enter the seed and be in the cotyledons, the first
12 leaves of the plant.

13 Premiere Z is a synthetic pyrethroid insecticide, and
14 they have no known systemic effect, and so they would--the
15 company would be relying on the fact that the chemical would
16 vaporize off the seed, come through the soil and protect the
17 seedling, and I really had my doubts that that would be
18 possible.

19 Q. Many others must have thought it was possible.
20 Obviously, Zeneca was investing a lot of money in this product,
21 PMRA was describing it as one of the three possible
22 replacements. This was your opinion based on what you knew of
23 its mode of action--

24 A. That's correct. I mean we would be--oh, sorry. Okay.

25 Q. This was your opinion based on what you knew.

15:58 1 Obviously you wouldn't have had its entire formulation or that
2 sort of thing or the data surrounding the product, but this was
3 your general impression of the product.

4 A. That's correct. We would be waiting for the
5 evaluation of the product and the determination by PMRA in
6 terms of its efficacy.

7 Q. The lindane seed treatments that were available in
8 1999, were these combination insecticide fungicides?

9 A. Yes, they were.

10 Q. There were no stand-alone Lindane Products for canola.

11 A. No, I don't believe so.

12 Q. Okay. And then in Paragraph 32 of your statement, you
13 say there, it was your understanding that PMRA had made a
14 commitment to expedite the review process for certain--I'm not
15 reading directly--to expedite the review process for certain
16 lindane replacements. That was part of the withdrawal
17 agreement.

18 A. That's correct. We didn't want to leave growers in
19 the situation where they had no seed treatment
20 products--clearly.

21 Q. Because an insecticide for canola was very important
22 for Canadian farmers.

23 A. That's correct.

24 Q. And you were having discussions with PMRA about
25 replacement products and about the specific options available

16:00 1 in the queue?

2 A. Well, PMRA was limited in terms of what they could
3 tell us. I mean, they didn't discuss the packages with us. We
4 knew what had been applied for, but at that point in time there
5 was not as much transparency within PMRA that there is now in
6 terms of the products and where they are at in the queue.

7 So, we didn't really know a lot of details about it,
8 but we knew they were moving through the system.

9 Q. And you knew which products were in the queue at this
10 time.

11 A. Yes, that's correct. It was communicated to
12 everybody, including--well, all of the industry at the various
13 meetings that we had.

14 Q. And obviously you knew enough about enough about
15 Premiere Z to have an opinion on its likely efficacy.

16 A. Correct.

17 Q. When you were before CCC, you were with the Manitoba
18 Department of Agriculture.

19 A. Yes.

20 Q. And in that capacity, did you have interaction with
21 PMRA back then?

22 A. Yes, I did, because I was responsible for the
23 Pesticides and Fertilizers Control Act in Manitoba Agriculture,
24 and also the Noxious Weeds Act. So, I was part of a--there was
25 an organization that was a--like a provincial territorial group

16:01 1 that met with Federal regulators on pesticide issues because
2 responsibility for pesticides is split between the Federal
3 Government in terms of registration, but sale is regulated by
4 the Provinces.

5 Q. Did you know Wendy Sexsmith when you were with
6 Manitoba?

7 A. I recall meeting Wendy Sexsmith at one of the
8 meetings. I believe at that point she might have been a
9 regulator in one of the other Provinces.

10 Q. In this discussion about replacement products, what
11 would the situation have been if lindane were gone from the
12 market and there were no replacement products? No
13 insecticides?

14 A. It would have been very difficult to grow canola.
15 Farmers need an insecticide, preferably a seed treatment. Some
16 of the growers would have--there still would have been canola
17 produced, but it would have been much more difficult. Growers
18 would have to rely on a foliar insecticide that they would
19 apply after the flea beetles had entered the field, and it's
20 much more difficult to predict.

21 There is a real range of flea beetle density across
22 the prairies. Some are typically--some areas are typically
23 higher density flea beetles, where seed treatment is quite
24 important. Other areas, the growers could have gotten away
25 without a seed treatment, but they would have used a foliar.

16:02 1 In some cases, in some areas, in some years they don't need
2 anything.

3 Q. And a foliar application would result in more
4 accumulation--exposure into the environment. It's more
5 exposure for workers and that sort of thing as compared to a
6 seed treatment--more of the pesticide being released.

7 A. You know, I'm not sure.

8 Q. Okay.

9 A. Yeah.

10 Q. Paragraph 33--and it's the top of Page 10--now we're
11 in 2001, and you say the PMRA again clarified that its
12 commitment had been to review the three applications submitted
13 within a certain time frame.

14 What was that time frame that PMRA had committed to
15 review these replacement products?

16 A. Well, that was actually--that reference there applies
17 to the fact that they were submitted within a certain time
18 frame, not that they committed to review them within a certain
19 time frame.

20 The commitment--I don't know if it was a commitment.
21 Our understanding was that they would review them as quickly as
22 possible with the view to having a replacement product
23 available in 2000.

24 Q. And when were they to have been submitted?

25 A. I believe that--well, the industry was starting to

16:04 1 look for replacement products prior to the issue with lindane,
2 so those products would have been submitted prior to the
3 November meeting, where the discussion occurred on the
4 voluntary withdrawal, because it was pretty sure at that point
5 the three--the three submissions had already been made for
6 Gaucho, for Premiere Z, and for Helix at that time.

7 When I came in in March of 19 or--1999, that was my
8 understanding, was that those were the three products under
9 review.

10 Q. Maybe we'll just go to the exhibit that you--

11 A. Yes.

12 Q. --cite in that paragraph, and it's JB-14 to your first
13 statement.

14 And here you have said this is a letter from Wendy
15 Sexsmith to you, February 6, 2001. You have written to
16 Ms. Sexsmith supporting the registration of an Aventis seed
17 treatment product. She is responding that the PMRA made a
18 commitment to work with EPA growers and Registrants to
19 facilitate access to replacement products, but nowhere did we
20 commit to three replacement products. If you recall when this
21 issue was being discussed, there were three applicants that had
22 products to submit in the short open window. And then she goes
23 on to say: Only products of two of the Applicants turned out
24 to have reviewable submissions. These products have been
25 subsequently registered.

16:05 1 So, I believe back in your Paragraph 33, you were
2 paraphrasing this open window in which products' applications
3 could be submitted. Does that--

4 A. Correct.

5 Q. --as you read that?

6 ARBITRATOR BROWER: When you are referring, these
7 documents refer to "Gaucho," what Gaucho are we talking about?

8 MR. BEDARD: When Ms. Sexsmith says these products
9 have been subsequently registered, that would have been in 2001
10 Gaucho 75 and Gaucho 480.

11 BY MR. BEDARD:

12 Q. Ms. Buth, you have paraphrased that letter to say the
13 three applications had to be submitted within a certain time
14 frame, and I was just asking you whether--Ms. Sexsmith uses the
15 phrase "a short open window," and you've paraphrased that as
16 "within a certain time frame," and I was just asking whether
17 you knew anymore about what that time frame was.

18 A. No.

19 Q. No, okay.

20 In Paragraph 36, you say you were frequently in
21 contact with Ms. Sexsmith, periodically in touch with Anne
22 Lindsey of the EPA. My communication with Anne Lindsey was to
23 ensure that she was aware of our commitment to the Withdrawal
24 Agreement and to ask for the EPA's consideration of this
25 commitment in any cross-border movement of seed. You were--

16:07 1 MR. DOUAIRE de BONDY: Sorry, Mr. Bedard. It actually
2 says seed, oil, and meal.

3 MR. BEDARD: I'm sorry. I didn't mean to--that wasn't
4 to--seed, oil and meal, as Mr. Douaire de Bondy clarified.

5 BY MR. BEDARD:

6 Q. How often were you in contact with Anne Lindsey or
7 anyone else at the EPA in this time frame, '99, 2000, 2001?

8 A. I can recall a couple of meetings that I was at where
9 I spoke to Anne Lindsey. They were not specific to the lindane
10 issue, but she was there, and I took the opportunity to talk to
11 her off to the side, and I--I believe I called her perhaps
12 twice just to let her know and update her what was happening on
13 the voluntary withdrawal.

14 Q. And did the EPA give you a commitment that, based on
15 your Withdrawal Agreement, the EPA of the United States would
16 turn a blind eye until 2001 for the continued use of lindane?

17 A. No. I wouldn't have expected a regulator to have
18 provided that kind of assurance.

19 Q. But the understanding of the industry or the hope,
20 maybe is a better way to put it, of the industry was that this
21 agreement the U.S. would turn a blind eye, as I say it, to the
22 fact that lindane was continuing to be used for those
23 subsequent two years.

24 A. Yes. That was our hope.

25 Q. At the time of the voluntary withdrawal, you're aware

16:09 1 that there were several products registered for use on canola
2 in Canada that were not registered in the U.S. and that had no
3 U.S. tolerance.

4 A. Yes. There were about 20 pesticides that were
5 registered in the U.S. that didn't have a tolerance or a
6 registration in the U.S.

7 Q. Registered in Canada?

8 A. Registered in Canada, sorry.

9 Q. Okay.

10 And if you--I know you weren't with CCC in 1998, but
11 you will, I'm sure, have seen a lot of the correspondence from
12 EPA in 1998, and they consistently refer to the general
13 prohibition that a product treated with a pesticide registered
14 in Canada that's not registered in the U.S. cannot be imported
15 into the U.S. Yet, obviously, the Withdrawal Agreement focused
16 entirely on lindane, notwithstanding that there were many other
17 products being used and registered in Canada for which there
18 was no U.S. registration.

19 Why was that? Why was there only a Withdrawal
20 Agreement for lindane and none of the others?

21 A. If I can put it in--just in the context of the entire
22 harmonization effort, we had a very close relationship with the
23 U.S. Canola Association and the U.S. growers because of this
24 issue, and we had a North American crop protection strategy so
25 that we would work together on harmonizing pesticides on both

16:10 1 sides of the border once we became aware that this was an
2 issue.

3 And so we specifically knew which products that we had
4 issues with that weren't registered on both sides of the
5 border, and we put a program in place to tackle those on a
6 priority basis. It happened that lindane became a priority
7 because of the issue that was raised by Gustafson, that
8 Gustafson raised the issue of the treated seed going across the
9 border. That then spilled over to the issue of, well, if it's
10 been treated with lindane in Canada, then any residues in the
11 canola seed oil or meal coming into U.S. would be illegal, so
12 that really tripped the issue for us and led us to deal with
13 that issue first.

14 Q. The Gustafson letter was talking about the product
15 Premiere Plus? Are you aware of that, that the Gustafson
16 letter that started--that was sent in 1997 was talking about
17 the product Premiere Plus? Are you aware of that?

18 A. No. I thought the Gustafson letter was talking about
19 the product lindane--oh, Premiere Plus--sorry. Premiere Z,
20 Premiere Plus, yes. Premiere Plus was the lindane product. It
21 wasn't the replacement product.

22 Q. Right.

23 And are you aware of the fact that Premiere Plus was a
24 combination insecticide-fungicide comprised of lindane, thiram,
25 and thiabendazole?

16:12 1 A. Yes.

2 Q. Okay.

3 At that time, was there at that time was there a
4 registration or tolerance for thiabendazole?

5 A. Not that I recall.

6 Q. No.

7 And that would have been consistent with a lot of
8 products, as you said, at least 20.

9 A. Yes.

10 Q. And so this fear of border action by the U.S. was in
11 part addressed by the Lindane Withdrawal Agreement, but if
12 there was a concern about the FDA checking for residues of
13 products, the Withdrawal Agreement was only perhaps a small
14 part of that issue, and they could have found residues of these
15 20 other products used on canola.

16 A. Yes, that's correct.

17 My recall--or what I believe is that, you know, we had
18 this list of 20 products, and there was no way we were going to
19 deal with them all at once. We had to set some priorities.
20 Lindane was under review internationally. We've had
21 communication from the World Wildlife Fund, from an aboriginal
22 group, from the National Roundtable on the Environment and the
23 Economy. It was clearly being targeted internationally and
24 also in Canada, and so that was the focus for us was the
25 lindane issue.

16:13 1 Q. You've been with the CCC ever since. Have you ever
2 reached a time where the products--all of the products used in
3 Canada on canola had a U.S. registration in tolerance? Is
4 that--is there harmonization today?

5 A. You know, I had meant to go back and take a look
6 specifically, but there is only one product that I can recall
7 right now where we don't have a tolerance in the U.S. or a
8 registration in the U.S. that we do in Canada.

9 I would say we were largely successful at either
10 having the Registrants withdraw those products from the market
11 in Canada so there was no trade issue, or getting a tolerance
12 or a registration for the product in the U.S.

13 Q. Are you familiar with carbaryl in the Product 7?

14 A. Yes.

15 Q. Which is registered and has a label use for canola?

16 A. Yes. I'm not sure it's commercially available. So
17 there were some products that we knew that were not
18 commercially available or not used on a large number of acres,
19 and they were lower priority products that we would tackle.

20 Q. You're not certain whether or not 7 is used on canola
21 in Canada.

22 A. No, I'm not certain. Foliar insecticides are not used
23 that often.

24 Q. But they are used.

25 A. Yes, they are.

16:15 1 Q. The product fenoxaprop-p-ethyl?

2 A. Has been--is no longer commercially available.

3 Q. Excel Super herbicide?

4 Let's go a different way.

5 A. Sure. Okay.

6 Q. What's the product you know of that's used in Canada
7 that does not have a U.S. registration or tolerance?

8 A. Epridion (ph.).

9 Q. And so today, if someone is using that product and the
10 canola is either treated and is sent across the border as
11 treated seed or is sent as canola meal or canola oil, there is
12 a risk that FDA will find residues in the product.

13 A. That's correct.

14 Q. So, the Withdrawal Agreement certainly hasn't taken
15 this issue away. There is a live issue that has been ongoing
16 for the past 10 years.

17 A. It's a constant issue, and we remind Registrants on a
18 regular basis that the Canola Council of Canada policy is that
19 we do not support a registration in Canada unless there is a
20 simultaneous registration on canola in the U.S., because we
21 don't want to get into the situation with other products, and
22 we continue to try and harmonize the products that are out
23 there.

24 Q. We talked about those EPA documents from 1998, which
25 were sort of ominous in suggesting, by the way, we've mentioned

16:16 1 FDA that these products are being used in Canada and there may
2 be residues.

3 Was there ever a document from FDA saying we've found
4 residues of lindane in canola oil or that stop the shipment of
5 canola where they found lindane residues in canola oil?

6 A. FDA did a special study on canola because the issues
7 had been raised, where they checked for residues. I can't
8 remember the exact publication date. It was either 2000 or
9 2001, I believe, and it was a monitoring study to see whether
10 or not there were the potential for residues. They found two
11 products, one of them being lindane.

12 Q. They found residues in processed oil, refined oil?

13 A. They found residues in seed and meal.

14 Q. Seed and meal, which doesn't--obviously doesn't answer
15 the question of whether they would be found in processed oil
16 because--

17 A. No, but the fact that they have been found in meal
18 would create an issue for us if meal was rejected at the
19 border, because if the tolerance is zero, the U.S. is our
20 largest market for canola meal.

21 Q. Meal would be going into the U.S. as feed?

22 A. Yes.

23 Q. So, that, in turn, requires an animal tolerance for
24 whether once you feed it to the animal it shows up in the
25 animal product; is that right?

16:18 1 A. Yes.

2 Q. In Paragraph 54 of your first statement--again this is
3 about interactions with Chemtura, and there is a teleconference
4 involving the PMRA and the four Registrants on October 22nd,
5 1999. You then say, in the middle there: We and the PMRA
6 confirm that there was a process for reinstating canola on the
7 lindane label with the PMRA.

8 Why would CCC be confirming PMRA reinstatement policy?

9 A. We were facilitating the discussion, and we were--we
10 were very aware that this was also a competitiveness issue in
11 that all four Registrants had to have the same information. So
12 in discussions with Wendy prior to that, I had been informed
13 that there was a reinstatement process. So clearly I couldn't
14 have informed them of what the process was, but we had been
15 assured that there was a reinstatement process, and PMRA
16 provided the details on that call.

17 Q. If we move ahead to Paragraph 71 of your first
18 statement, it says: "When we set the date of July 1, 2001."

19 Is that--the CCC set that date? Is that what you're
20 saying?

21 A. We set it in cooperation with the Registrants, yes.

22 Q. Okay.

23 A. Who was part of the conditions of the voluntary
24 withdrawal.

25 Q. Did PMRA have input on that date? They were involved

16:19 1 in the decision that led to that date?

2 A. I don't know if they were involved in the decision as
3 much as it was a discussion about after you ceased to have a
4 registration, how long would be a reasonable time for that
5 product to be used up. It's fairly standard to have a period
6 of time for the product to be used up, if it's a change in
7 registration, and so we would have looked at, you know, how
8 much product would be out there, how much treated seed might be
9 out there, and how long a time period would you need for
10 growers to move that through the system.

11 Q. When you were speaking with the PMRA about replacement
12 products, you've given your view on Premiere Z and the fact it
13 was not likely to be effective, and you were ultimately right;
14 the product was never registered. You've made some other
15 comments in your evidence about your views on Gaucho. By
16 process of elimination, I guess it would be fair to say that
17 you were supportive of Helix based on the fact that, in your
18 view, that there had to be a replacement product if there was
19 no lindane, and you didn't have a strong positive feeling about
20 the other two.

21 A. I was supportive of all of them. I didn't think that
22 Premiere Z was going to work. I didn't think it would make it
23 through, meaning we were supportive of Gaucho, clearly, because
24 it had been used in the U.S., but as you stated, I was aware
25 there were some issues regarding efficacy of Gaucho, and we

16:21 1 were also supportive of the Helix product.

2 Q. Just going back, before we forget about it, in your
3 second statement, at Exhibit JB-23, we are now into the time
4 when you are having some fairly significant--making significant
5 and serious efforts with PMRA to allow use of the stock, the
6 carryover seed in 2002. At that time, PMRA was not making a
7 decision, I guess it would be fair to say, about whether that
8 seed that had been treated with lindane could be used in the
9 2002 season; is that right?

10 A. Correct.

11 Q. So, this is a November 20, 2001, letter from you to
12 Wendy Sexsmith at the PMRA? You've got it?

13 A. Yes, I do.

14 Q. Okay. And, so, in your first numbered point at the
15 bottom of that page, "In 1998, we did not know the likelihood
16 of detecting residues in canola seed oil and meal."

17 So, you're saying--this is your letter to say please
18 allow to us plant the seed for 2002.

19 A. Yes. It was--this was a one-off situation. We knew
20 we had to deal with this seed issue, and it was the--the reason
21 for mentioning this information was that it was a way
22 essentially to assure the industry that--that the risks were
23 lower than we originally thought--

24 Q. Okay. And on that--

25 A. --in terms of detection.

16:23 1 Q. Right. And on that point, in your third bullet under
2 number one, you say: "Residue testing by the lindane
3 manufacturers has shown .0058 parts-per-million lindane and
4 canola seed but no detectable residues in refined canola oil or
5 meal."

6 A. That's correct. That was the information at the time.

7 Q. And you're saying there is a subsequent study that--by
8 FDA dealing with residues in meal?

9 A. Yes.

10 Q. Is that study on the record?

11 A. In the record here?

12 Q. Yes.

13 A. I'm not sure.

14 Q. Okay. This was your position in 2001?

15 A. Yes.

16 Q. Okay.

17 There was some discussion in your evidence about the
18 fines and the potential for a \$250,000 fine if growers used
19 treated--if growers used seed in 2002--treated seed in 2002.
20 And your evidence, if I understand it correctly, is that the
21 PMRA, when asked, would say, if you use this treated seed--if
22 you plant this treated seed after 2000--July 1st, 2001, the
23 maximum penalty under the Act is \$250,000, so they described
24 the penalty provisions of the Act. Am I summarizing that
25 correctly?

16:25 1 A. Yes.

2 Q. Okay. And I understand from your evidence, you were
3 in the room at some of these meetings with Mr. Reid, and you
4 came away with the impression that yes, they were describing
5 the penalties under the Act, but it would only be in rare
6 circumstances that the penalties would be applied. That was
7 your impression with your regular communication with PMRA?

8 A. That was my impression, yes.

9 Q. So, for the 70,000 growers or so, if they're hearing
10 this information like from a Canadian seed treaters
11 association, Fast Facts, and just hearing the penalties and the
12 fact that planting after July 1, 2001 could result in fines of
13 \$250,000. To people that are a little farther removed from the
14 regulatory Agency than you are, obviously, they might have some
15 fear?

16 A. They might, but I think that growers were fairly aware
17 of pesticide use and what they should and shouldn't be doing,
18 and they were also aware of the fact that it was very rare for
19 PMRA to be in the field looking for things, unless there was
20 some really obvious misuse that had been going on. And, so, my
21 belief was that, although this was, although the, this was--may
22 have been communicated to growers that there wasn't a lot of
23 fear out there, and I didn't receive a lot of calls from
24 growers about, you know, what would happen to them.

25 Q. By contrast, when the EPA says, "If we detect

16:27 1 residues, that import will be stopped. If you are using an
2 untreated--if you're using a seed treated with a product not
3 registered in the U.S., that product will be stopped."

4 Wasn't the EPA in all that correspondence simply
5 describing the law and the possible enforcement action?

6 A. Correct.

7 Q. In much the same way that the PMRA was.

8 A. We couldn't risk detections.

9 We also had experience with the FDA making--doing
10 monitoring or testing cargoes and other crops prior to that
11 time. And frankly the border between Canada and the U.S. has
12 continued to get even thicker in terms of allowing products in
13 and testing and monitoring.

14 So, when, you know, you have a 500 million-dollar,
15 600 million-dollar industry, it was not something that we were
16 prepared to risk by saying, well, they'll--you know, we hope
17 they will look the other way. We were already doing that for a
18 three-year period, and frankly crushers and exporters were
19 sitting on pins and needles waiting for the whole process to be
20 completed so that we wouldn't have the threat of this hanging
21 over us.

22 Q. But a fair summary of what you're saying is that
23 everyone thought PMRA would look the other way, and no one
24 thought EPA would.

25 A. I don't--I don't think everyone thought PMRA would

16:28 1 look the other way.

2 Q. So, some people--people a little bit farther removed
3 from the industry association and the PMRA process, if they see
4 a fax from a seed treatment association, fines are \$250,000 if
5 you have seeds left over, they might be worried. That's a
6 reasonable conclusion.

7 A. I have to go back to the fact that I think there is a
8 wide variety of growers out there with different levels of
9 knowledge and sophistication, and that many of the growers,
10 because they use pesticides every year are aware of what
11 they're legally supposed to do and not supposed to do and how
12 they can push the limits.

13 So, I would think that, you know, there would be a
14 range out there of growers that would say, well, you know, I
15 don't think this will happen--I don't think I'll get caught,
16 whereas, as an industry with that much at risk, we couldn't
17 say, "Well, we don't think we will get caught when it came to
18 the EPA."

19 Q. Why did the CSTA issue that fax warning about the
20 fines?

21 A. To pass the liability on, essentially, so that they
22 weren't liable.

23 Q. And they hired outside counsel to give them the, CSTA,
24 an opinion on potential liability? Are you aware of that?

25 A. I don't recall, no.

16:30 1 Q. No?

2 And to your earlier point--and I don't remember the
3 name of the active ingredient, but there are still farmers
4 today using product registered in Canada for which there is no
5 U.S. registration or tolerance on canola.

6 A. Yes.

7 Q. That situation still exists today?

8 A. It would be very, very small.

9 Q. But the risk--the risk of canola being stopped at the
10 border exists because of that--because that seed could end up
11 in a crushing plant with other seed?

12 A. That's correct, although we have--we do residue
13 testing as well. The Canadian Grain Commission does residue
14 testing on a regular basis, and there are very few pesticide
15 residues found in canola.

16 Q. Of any pesticide?

17 A. Um...

18 Q. There are no residues--is what you're saying--of
19 pesticides in the oil being tested--very few.

20 A. There are few--very few, yes.

21 Q. Okay. Thank you very much, Ms. Buth.

22 PRESIDENT KAUFMANN-KOHLER: Thank you.

23 Any redirect questions?

24 REDIRECT EXAMINATION

25 BY MR. DOUAIRE de BONDY:

16:31 1 Q. Ms. Buth, perhaps just one question on redirect.
2 Mr. Bedard was talking about Helix and Gaucho and your views
3 about Helix and Gaucho as potential replacement products. I
4 just wondered if you could--first of all, is it fair to say
5 that Helix was successful in the Canadian canola marketplace as
6 a replacement product?

7 A. Yes, they took a large percentage of the acres in the
8 years following the lindane withdrawal.

9 Q. And to what you would account the success of the Helix
10 in the marketplace after its introduction? What--were there
11 particular efforts on the part of Syngenta, for example?

12 A. Syngenta was a very aggressive marketer--still is--and
13 they made--some of the things they do is--that they're in close
14 communication with the organizations that need to know about
15 the product, people that are called "key influencers," that
16 when growers may have questions or the industry has questions,
17 they would be able to answer those types of questions. And so,
18 Syngenta would have meetings, tours. You would see the product
19 in the field. There would be demonstrations. They would ramp
20 up marketing efforts by doing large-scale plots out there,
21 showing yield data, and quite an extensive marketing program in
22 addition to the outreach that they would do with universities,
23 agronomists, et cetera.

24 Q. I think in your statement--one of your statements
25 you've also mentioned the bundling issue--the bundling of newly

16:32 1 developed seeds with Helix. Could you talk to us about that
2 for a moment.

3 A. Yes, the canola industry changed quite a bit in terms
4 of the types of varieties that are available, and so as we were
5 going into specialty varieties and also into hybrids that had
6 much higher yields.

7 Q. Sorry, just when you say "varieties," what do you mean
8 by "varieties"?

9 A. Canola--their--

10 Q. Seed?

11 A. Their specific--yes, seed, sorry, seed.

12 Q. Okay.

13 A. And so, there are very specific types of seed
14 varieties that will give you a specific oil profile or will be
15 high yielding, and companies at that point were starting to
16 produce hybrids and also some specialty varieties, and Syngenta
17 had their product applied to those varieties, so that their
18 product would be on the high value products or the products
19 where growers were looking for increased returns because of the
20 yields, and so they bundled their products with specific seed
21 developers in order to get the product out there.

22 Q. So, would those--how--would those bundling efforts
23 have contributed to their success?

24 A. Clearly.

25 Q. And how--could you compare the marketing efforts of

16:34 1 Syngenta to those of Chemtura in marketing Gaucho, for example?

2 A. We didn't have as--we didn't have nearly as much
3 communication from Chemtura. There wasn't as much
4 communication directly with us or our agronomists. I don't
5 recall ever being asked to be on a field tour. And I don't
6 recall--I believe they did some bundling, but it was not with
7 some of the higher yielding varieties, so there wasn't as much
8 of a marketing program that Chemtura did.

9 MR. DOUAIRE de BONDY: Thank you. Those are my
10 questions.

11 PRESIDENT KAUFMANN-KOHLER: Do my co-Arbitrators have
12 questions? Judge Brower? Professor Crawford? No?

13 Now I don't know whether I have questions. I need to
14 check.

15 (Pause.)

16 QUESTIONS FROM THE TRIBUNAL

17 PRESIDENT KAUFMANN-KOHLER: In 2008, you expected to
18 produce a record crop at over 10 million tonnes.

19 THE WITNESS: Yes. We produced a record crop at
20 12.6 million tonnes.

21 PRESIDENT KAUFMANN-KOHLER: And what do you expect for
22 this year?

23 THE WITNESS: Well, the crop is just coming off right
24 now. We had a tough spring, a lot of moisture in some areas
25 and drought in others, and a cold rainy summer across the west,

16:35 1 except in the drought areas. And so we're expecting--right now
2 those numbers changing, but right now the industry estimate is
3 somewhere between 10 to 11 million tonnes. So, we probably
4 won't make the record we did last year, but we will still be
5 high.

6 PRESIDENT KAUFMANN-KOHLER: Thank you.

7 Now the other questions I have all been asked and
8 answered. So, I thank you very much, and that closes your
9 examination.

10 THE WITNESS: Good, thank you.

11 (Witness steps down.)

12 PRESIDENT KAUFMANN-KOHLER: Good. Do you want to
13 start the next witness? Or not? Do we keep the next witness
14 for tomorrow? What is your plan?

15 MR. DOUAIRE de BONDY: Just a point of clarification,
16 I think we were expecting this examination to go a bit longer,
17 and Ms. Sexsmith is not actually here, so--

18 PRESIDENT KAUFMANN-KOHLER: That's why I was asking
19 that question. I always want to go as fast as possible, but
20 that resolves the question. If we are fast these coming days,
21 maybe you make sure that they are available or can be called on
22 short notice, because we are progressing rather well. I thank
23 you.

24 MR. DOUAIRE de BONDY: Yes.

25 PRESIDENT KAUFMANN-KOHLER: You've been very

16:37 1 disciplined in asking questions.

2 MR. DOUAIRE de BONDY: Thank you, Madam Chair.

3 Just a point of clarification on that point
4 specifically. The only restriction we have is Dr. Costa is
5 flying in from Italy over the weekend and so wouldn't be
6 available until Monday.

7 PRESIDENT KAUFMANN-KOHLER: We have--he's scheduled
8 for Monday in the morning; yes, that's fine.

9 MR. DOUAIRE de BONDY: Yes.

10 PRESIDENT KAUFMANN-KOHLER: Excellent. So, we will
11 start tomorrow morning with Mrs. Sexsmith and then go on with
12 Mrs. Chalifour and then Dr. Franklin; is that right? Good.
13 So, have a nice evening.

14 (Whereupon, at 4:38 p.m., the hearing was adjourned
15 until 9:00 a.m. the following day.)

16

17

18

19

20

21

22

23

24

25

CERTIFICATE OF REPORTER

I, David A. Kasdan, RDR-CRR, Court Reporter, do hereby certify that the foregoing proceedings were stenographically recorded by me and thereafter reduced to typewritten form by computer-assisted transcription under my direction and supervision; and that the foregoing transcript is a true and accurate record of the proceedings.

I further certify that I am neither counsel for, related to, nor employed by any of the parties to this action in this proceeding, nor financially or otherwise interested in the outcome of this litigation.

DAVID A. KASDAN